

IN THE CIRCUIT COURT OF WOOD COUNTY, WEST VIRGINIA

BEFORE THE HONORABLE J.D. BEANE

\* \* \* \* \*

JACK W. LEACH, et al,  
Plaintiffs,

v

Civil Action No. 01-C-608

E.I. DU PONT DE NEMOURS AND COMPANY,  
Defendants.

 COPY

\* \* \* \* \*

STATUS HEARING

Proceedings held before the Honorable J.D. Beane,  
Chief Judge, on the Fourth Floor of the Wood County Judicial  
Building, No. 2 Government Square, Parkersburg, West Virginia,  
on the 18th day of May, 2011.

COUNSEL:

For Plaintiffs:

Larry A. Winter, Esquire  
Winter, Johnson & Hill  
PO Box 2187  
Charleston, WV 25328

R. Edison Hill, Esquire  
Harry G. Deitzler, Esquire  
Hill, Peterson, Carper, Bee & Deitzler  
North Gate Business Park  
500 Tracy Way  
Charleston, WV 25311-1261

Robert A. Bilott, Esquire  
Taft, Stettinius & Hollister  
1800 Firststar Tower  
425 Walnut Street  
Cincinnati, OH 45202-3957

For Defendants:

Niall A. Paul, Esquire  
Spilman, Thomas & Battle, PLLC  
Spilman Center  
300 Kanawha Boulevard, East  
PO Box 273  
Charleston, WV 25321-0273

Libretta P. Stennes, Esquire  
Steptoe & Johnson, LLP  
1330 Connecticut Avenue, NW  
Washington, DC 20036-1795

Larry F. Janssen, Esquire  
Steptoe & Johnson, LLP  
633 West Fifth Street  
Suite 700  
Los Angeles, CA 90071-3500

**ALSO PRESENT:**

Joseph Kiger, Plaintiff  
Dr. Tony Fletcher  
Dr. Kyle Steenland  
Dr. David Savitz

**COURT REPORTER:**

Stacy Harlow, Certified Court Reporter

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

**INDEX**

**WITNESSES CALLED ON BEHALF OF THE PLAINTIFFS:**

**DR. PAUL BROOKS**

Direct Examination by Mr. Deitzler.....10

**DR. ALAN DUCATMAN**

Direct Examination by Mr. Deitzler.....38

Cross Examination by the Court.....44

Re-Direct Examination by Mr. Deitzler.....47

**ROBERT G. ASTORG**

Direct Examination by Mr. Deitzler.....50

**OTHER WITNESSES PRESENTED:**

**DR. KYLE STEENLAND**

Direct Examination by Mr. Janssen.....57

Cross Examination by Mr. Deitzler.....72

**DR. DAVID SAVITZ**

Direct Examination by Mr. Janssen.....78

Cross Examination by Mr. Deitzler.....81

Cross Examination by the Court.....83

Re-Cross Examination by Mr. Deitzler.....88

Re-Direct Examination by Mr. Janssen.....88

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

**DR. TONY FLETCHER**

Direct Examination by Mr. Janssen.....90

Cross Examination by Mr. Deitzler.....96

1                   **BE IT REMEMBERED**, the following proceedings were  
2 had before the Honorable J.D. Beane, Chief Judge of the Circuit  
3 Court of Wood County, West Virginia, on May 18, 2011 in the  
4 matter of Jack W. Leach, et al, Plaintiff versus E.I. Du Pont De  
5 Nemours and Company, et al, Wood County Civil Action No. 01-C-  
6 608, as follows, to-wit:

7                   **THE COURT:**     Good afternoon.     Please be seated.  
8 All right, this is 01-C-608, Jack Leach, et al versus E.I.  
9 DuPont; and we're here on a status hearing.

10                  **MR. DEITZLER:**    Yes Your Honor.    Would you like  
11 me to summarize the status of the litigation to this point?

12                  **THE COURT:**           That would be fine.

13                  **MR. DEITZLER:**    All right.    A lawsuit affects an  
14 estimated seventy to eighty thousand individuals in six local  
15 water districts, two in West Virginia, four in Ohio.    It rises  
16 from concerns over DuPont placing perfluorooctanoate acid,  
17 commonly known as C-8, in the environment, ultimately into the  
18 drinking, bathing, and cooking water of affected individuals,  
19 many of whom are the room.

20                  The lawsuit was filed in Kanawha County, West Virginia on  
21 August 30, 2001, almost ten years ago, naming DuPont and Lubeck  
22 Public Service District as Defendants requesting necessary  
23 medical monitoring and relief from personal injuries attributed  
24 to exposure of individuals to C-8.

1                   **THE COURT:**       Let -- let me just -- since you  
2 reflected back that it was nearly ten years ago, I was looking  
3 at the Settlement Agreement.

4                   **MR. DEITZLER:**   Yes Your Honor.

5                   **THE COURT:**       And then on Page 5 of the  
6 agreement it says, [Reading]: The principal reason for the  
7 settlement is the ability to provide the collection of unique  
8 benefits to the settlement class now. If the lawsuit runs it's  
9 expected course, which may take another three years or more  
10 including appeals, even if the named Plaintiffs prevails ...

11                   And then it goes on. So we're...

12                   **MR. DEITZLER:**   Yes Your Honor. That's why I was  
13 going to explain the chronology here. It was certified in 2002.  
14 The settlement with Lubeck was in 2003. The parties had  
15 litigated several differences going to the West Virginia Supreme  
16 Court. Mediation was ordered by this Court, although Judge Hill  
17 was presiding at the time, on May 9th, 2003. Trial was  
18 scheduled for October 12, 2004. About a month before the trial  
19 the parties finally reached a settlement agreement in principal.  
20 They -- we appeared before the Court October 22, 2004 to notify  
21 the Court of that and then on November 23, 2004 the Court heard  
22 the requesting preliminary approval; scheduled the final  
23 approval hearing for February 28, 2005, which is, as you pointed  
24 out, more than five years ago.

1 Pursuant to the Settlement Agreement, instead of getting an  
2 immediate jury verdict as to medical monitoring, the class  
3 member's rights to medical monitoring were -- it was agreed  
4 would be decided by an independent medical panel after a  
5 probable link is evaluated by an independent science panel,  
6 which would be these gentlemen over here.

7 The time limits on personal injury claims of these people  
8 were stayed pending the outcome of a probable link  
9 determination. And we've been getting quite a number of calls  
10 about that because people were constantly wanting to know why  
11 we're not moving forward. So I'm glad you called this status  
12 conference so we can -- at least the public will know that we  
13 can't do anything else right now.

14 But the settlement boiled down to, in essence, three  
15 primary components. One, a medical panel is going to decide  
16 whether -- what medical monitoring, if any, is -- that the class  
17 members are entitled to. And the medical panel will be  
18 impaneled only after the probable link determination is made  
19 where the science panel, using all available scientific  
20 evidence, will determine on a more likely than not standard,  
21 more probable than not standard, whether or not there is a link  
22 between C-8 and human disease after they narrow it down by  
23 determining what diseases would -- might have an association.  
24 In other words, they make association findings to decide what

1 they're going to study on probable link. And so that's  
2 basically a two-step process. So that's the first component of  
3 the settlement.

4 The second is that DuPont would filter the water -- clean  
5 up the water to the best degree scientifically possible, of the  
6 six affected water districts and the wells that were within the  
7 district. And they have -- they have a guy named Andrew Hartin\*  
8 who -- who put this together and quite frankly they did an  
9 excellent job of that. And so that component -- I don't know,  
10 they might want to report on that separately but the last report  
11 showed that twenty-two million two hundred and twelve dollars --  
12 two hundred and twelve thousand seven hundred and sixteen  
13 dollars and sixty-two cents later they -- they have done it.  
14 And so DuPont definitely stepped up to the plate on that.

15 The third component of the settlement was that a human  
16 health education project would be funded for the benefit of the  
17 class to gather detailed health histories and blood chemistry  
18 information, to the extent possible, with available funding on  
19 the class members. And on that portion the Plaintiffs are  
20 prepared to report. We've subpoenaed Dr. Paul Brooks who is the  
21 -- one of the two principals of Brookmar and he is prepared to  
22 tell the Court what -- what they did with regard to the C-8  
23 Health Project. They completed their work in about a year; I  
24 mean from July to July they were done.

1                   **THE COURT:**       What year?

2                   **MR. DEITZLER:**  2005 -- July 2005 to 2006 they did  
3 their work.  And they -- what they did, they gathered the -- the  
4 data and then they contracted with one of the specialists up at  
5 West Virginia University who had access to epidemiologist,  
6 toxicologist, computer people and everything, to put the data  
7 together in a readable format.  Because if you just take several  
8 million lines of data it's not going to mean anything to the  
9 public; so they contracted with West Virginia University and Dr.  
10 Alan Ducatman -- we subpoenaed him to be here in case the Court  
11 would like to hear from him -- and the public, you know, they're  
12 going to want to know what -- what was done with all -- all this  
13 money.  And then Bob Astorg was the Administrator for that  
14 portion and he's here with a written final report.

15                   So I didn't know if you wanted to -- since the science  
16 panel traveled the furthest if you wanted to proceed and let --  
17 hear from them first and then we can fill in the blanks with  
18 what we -- what we had done or, you know, whatever order you'd  
19 like for us to report on that.

20                   We --

21                   **THE COURT:**       I guess you can go ahead with the  
22 witnesses you have and I think that'll provide a better  
23 understanding.  And then I'd like -- the reason I set this is  
24 because I do want to hear from the science panel.

1 MR. DEITZLER: Okay.

2 THE COURT: I'll cancel the rest of the day,  
3 if necessary, so that we can hear from them. So if you'd just -  
4 - it's not going to take that long if you want to go through  
5 with what witnesses you've subpoenaed.

6 MR. DEITZLER: Yes, Your Honor. We'll call Dr.  
7 Paul Brooks.

8 THE COURT: And did you all have anyone, as  
9 well, that you were calling?

10 MR. JANSSEN: No, Your Honor.

11 *[WHEREUPON, after being administered the oath, Dr. Paul*  
12 *Brooks testified as follows, to-wit:]*

13 DIRECT EXAMINATION

14 BY MR. DEITZLER:

15 Q. Dr. Brooks, I think everybody in Wood County knows who  
16 you are, but for the -- for the record would you state your name  
17 and profession?

18 A. A. Paul Brooks, Jr., M.D.

19 Q. Were you a medical doctor?

20 A. Still am.

21 Q. Thank you for correcting me on that, Doctor. You were  
22 one of the two principals of the organization identified as  
23 Brookmar which the Court, in February of 2005, gave final  
24 approval for preceding with the Human Health and Education

1 Project, commonly known as the C-8 Health Project, correct?

2 A. That's correct.

3 Q. Would you give the Judge a report and the community,  
4 essentially, a report of what you all did?

5 A. Okay. I have to refer to some notes in order to kind  
6 of keep this thing from rambling too much. But what I wanted to  
7 do was go through it in a chronological order starting back in  
8 September 2004 when we were first contacted and move through,  
9 basically, when we finished the collection of data in 2006,  
10 July.

11 In 2004, as in previous testimony at the Fairness Hearing -  
12 - there's a lot recorded there -- we gave a full detail of what  
13 we had -- had proposed to do. But in September, 2004 myself and  
14 Art Maher, who is a retired hospital administrator at now the  
15 St. Joe campus of the Camden Clark Medical Center, were  
16 contacted by Plaintiffs' attorneys or Class attorneys to ask us  
17 if we would consider carrying out a portion of a Settlement  
18 Agreement that had been reached over the C-8 lawsuit that had  
19 taken place.

20 We were -- we met and -- for hours and we were brought up  
21 to date basically that the settlement was reached and there  
22 would be a hearing in November -- I think around the 24th or  
23 somewhere in that area -- that would take approval of the Court.  
24 So we were put under confidentiality agreements and -- and they

1 laid out what they would like for us to entertain and look at  
2 about doing.

3 The important parts of it were, number one, that seventy  
4 million would be designated to the class of which twenty  
5 thousand would -- or twenty million, I mean, would be used for  
6 education and health studies. That there were six water  
7 districts plus private wells involved in the contamination and  
8 they were Little Hocking, Lubeck, City of Belpre, Tupper Plains  
9 Chester, Mason County and the Village of Pomeroy and any  
10 contaminated wells that were in those six water districts.

11 The eligibility of the class was that they consumed water  
12 in these districts for a total of one year dating from 1950 to  
13 December the 3rd, 2004. So the number that was given in the  
14 class was probably sixty to eighty thousand, estimated, and  
15 possibly could've been as many as a hundred and twenty thousand  
16 that were living and could possibly be tested. It -- there was  
17 no way to really determine the total, you know, the accurate  
18 number but it was a pretty good guess, I think, at about eighty  
19 thousand.

20 The requirements for the collection of data included a  
21 personal health survey of some great detail and a blood test  
22 with a number of tests that they generally represent of the  
23 bodily function of all the organ systems. And there were a  
24 total of sixty-three actual blood tests and then there were nine

1 perfluorocarbon blood tests to be done, which C-8 is one of the  
2 fluorocarbon that was tested for. So it brought the total to  
3 about seventy-two.

4 Also in the Settlement Agreement it was determined that  
5 participants would be paid a hundred and fifty dollars for their  
6 questionnaire and if they would concede to having blood drawn  
7 they'd be given a two hundred and fifty dollar stipend, which  
8 made a total of four hundred dollars. For that they agreed to  
9 allow de-identified data that was collected to go into the  
10 public domain once it was prepared for that.

11 So -- and it was required that this be accomplished as soon  
12 as possible with a high degree of the accuracy of the data, as  
13 well as safeguards for the participant's privacy; and to be  
14 efficient with the financial resources to test as the finite  
15 fund would allow. We had the funds granted to us at the seventy  
16 million figure so that's all we could possibly spend to test  
17 people and, of course, that would limit -- that obviously  
18 limited the number based on what it was costing to test each  
19 one; and to follow the Court order and accomplish that without  
20 any variance from what the Court had ordered to be done.

21 So then we proceeded to the November Settlement Hearing  
22 after that and in the mean time we had prepared a -- not much of  
23 a budget but we'd gotten some numbers on lab tests that were to  
24 be required because we knew that would probably be our largest

1 cost as opposed to the -- and also with the four hundred  
2 dollars. So I think we presented to the Court that we'd had a  
3 number of about five hundred and forty-six dollars or something  
4 in that range for the blood test and about -- and in the four  
5 hundred dollars, which brought the total to nine hundred and  
6 forty-six dollars per participant. So we figured well, we could  
7 -- with administrative costs and all the -- we had to have  
8 employees etcetera, that we might -- we probably could do around  
9 sixty thousand but we were also willing to try to negotiate at  
10 least all the fees, down with vendors. And looking at the  
11 volume that was a possibility we were able, later, to do some  
12 pretty -- pretty strict negotiations based on the numbers. In  
13 other words, you'd do the first twenty-five thousand, say, for a  
14 certain price. If we exceed that then they'd do the next ten  
15 for a lower price and so on. So we were able to bring that down  
16 some. So we couldn't give much testimony at that time about  
17 procedural details except to get some general timelines.

18 In the Fairness Hearing then, in February, after we'd given  
19 a fair amount of detailed testimony, that was approved and we  
20 were approved to carry out the collection of data as in the  
21 order. However we found that in February -- the 28th I believe  
22 was the date -- that we weren't going to have -- the seventy  
23 million was not going to be released because of a hundred and  
24 twenty day waiting period and so that put it into July. The

1 problem we saw with that was the fact that the settlement had  
2 become public knowledge and there was a lot of interest in what  
3 was going to be done and, you know, comments, well nothing's  
4 going to be done etcetera, etcetera. So we wanted to show that  
5 we would get going as soon as possible to keep that momentum.  
6 And we were able to get enough funds let out to us that we could  
7 go ahead and sort of ramp for a July start date. And also in  
8 November we began to assemble a team which -- to -- to form, you  
9 know, to form this -- we formed an entity, a legal entity  
10 because we knew we'd call it Brookmar because we knew we'd be  
11 contracting with various vendors. And that included things like  
12 laboratories, consultants, information system companies, legal  
13 and accounting. And so we would be able to comply with all  
14 federal and state regulations as it pertained to employees and  
15 collecting health data on people.

16 The team was myself and Art; we were the principals. We  
17 were the ones that had been contacted initially to see if we  
18 could do this. We added Patsy Flensburg, who is an RN by  
19 training; Susan Arnold was a Medical Records Specialist. We had  
20 Troy Young who was a very good information systems person. We  
21 had Rick Hudson as our legal attorney and Bob Astorg as our  
22 financial part of it.

23 And we -- so we set out with -- with this -- with that team  
24 to start to design this process. Our -- the way we looked at it

1 was, we looked at it as an information system with a collection  
2 of data attached to it. And that's kind of in reverse of what  
3 you might look at something like this. You probably -- a lot of  
4 times you'd look at the data collection part of it and try to  
5 fit the information system. What we did, we looked at the  
6 information system and fit everything else -- the mechanics to  
7 the information system, which is -- which is kind of a unique  
8 approach, I believe. It worked out quite well as a matter-of-  
9 fact. We decided we'd use all the computer technology that we  
10 could possibly get our hands on because the speed and the  
11 accuracy and so on and so forth that that gives you, and it's  
12 much more efficient than pushing paper around or whatever.

13       So when we decided to use the computer technology, of  
14 course the questionnaire was to be filled out online; although  
15 they were given an option to do the paper and that could then be  
16 input by data entry. It was suggested that this would not be  
17 successful initially, but we didn't give up on it by any stretch  
18 of the imagination. And we'd have all the vendors tied in to  
19 the central office, electronic, on a real-time basis. The  
20 laboratories, the questionnaire processing, the financial part  
21 of it, the central office and all the collection sites were tied  
22 in with fiber optics so that we had plenty of power to do what  
23 we wanted to do.

24       We projected, initially, in September when asked by the

1 class of attorneys what we thought we could do and we said well,  
2 if there's eighty thousand we ought to be able to get at least  
3 seventy-five percent of them, which made it sixty. And that  
4 number was questioned by a lot of individuals, that we could  
5 ever manage that number. So we knew we were going to do that  
6 number and do it in one year. We'd set the timeline to do it in  
7 one year so momentum wouldn't be lost. And we would need to  
8 average somewhere between three hundred and fifty and four  
9 hundred a day that we would process from start to finish with --  
10 in the collection units.

11 We set up collection units in all the water districts. We  
12 used two actual units in Lubeck and two in Belpre because that  
13 was a concentration of the population and they needed more  
14 volume. And then we had one at Pomeroy and one just north of  
15 Point Pleasant off of 61. These units were mobile trailers that  
16 were set permanently, hooked up to all utilities including  
17 fiber-optic and security. They had -- on the end that the  
18 participant entered, there was a reception area where they came  
19 in, they identified themselves with certain identification that  
20 we required, photo, so on -- picture taken of them -- and if  
21 they had the proper documentation then they were started through  
22 the process.

23 THE COURT: Excuse me Dr. Brooks.

24 WITNESS: Huh?

1 THE COURT: There's a problem with the mic.

2 [WHEREUPON the microphone was adjusted]

3 BY MR. DEITZLER:

4 A. These units had four soundproof cubicles in them  
5 specially designed by me, basically. And then on the far end  
6 where the participant exited there was a lab with drawing -- two  
7 drawing stations to -- to make the blood draw if needed. These  
8 were also made handicap accessible with ramps and we -- they had  
9 plenty of parking and they were all located strategically and  
10 easy access to them.

11 And then we decided that we would schedule the  
12 appointments. We gave a lot of thought about how are we going  
13 to process sixty thousand people in a year's time at three  
14 hundred and fifty to four hundred a day. You just couldn't have  
15 them to come all at one place and lineup because the last one,  
16 everybody would be there till four o'clock or five so we decided  
17 an appointment system was the way to go. And so once we got --  
18 we got them entered in database with their questionnaire then we  
19 were able to, through a central appointment system we used at  
20 the central office, we could call them and schedule them when  
21 they could come and when we could see them and it made a nice  
22 flow because remember we only had room for about four  
23 participants at time in these units. So we couldn't have a  
24 hundred people out there on the parking lot.

1           The -- the way that the -- I want to talk just -- some of  
2 this will be repetitive and some of it goes backwards and  
3 forwards because it's very difficult to put it out in an in-line  
4 order. But what -- how a participant went through this process  
5 was first they filled out the health survey online or paper if  
6 they didn't want to do it online. Now we had a high percentage  
7 do it online and I'll eventually get to that. Because once they  
8 filled it out online it was in our database and it was readily  
9 available instantaneously of any of our places that we were tied  
10 up. If they did it on paper then we had to have data entry  
11 people to put it into the database and, of course, that slowed  
12 them being processed because that took a lot of extra time. So  
13 people soon learned that if they got the -- this questionnaire  
14 filled out online they could -- they would be called pretty  
15 promptly to get their appointment. So that -- then once they --  
16 once they got it online then they could -- they got their  
17 appointment then they would come to the unit and usually they  
18 tried to come -- they would usually come to the unit that was  
19 located in their water district. And they would, again, meet  
20 the receptionist; they would provide identification that proved  
21 that they were who they said they were. And then they would be  
22 asked to give certain documentation to prove that they had  
23 consumed the water for the period that I talked about  
24 previously. Once that was done then they were asked to sign a

1 consent that would allow their data, which was not identified to  
2 the individual, but was de-identified, to be placed in the  
3 public domain. And then once they -- we could -- they -- they  
4 automatically then qualified for the hundred and fifty dollars.  
5 The nurse then took them into the soundproof cubicle with a  
6 computer screen and went through their questionnaire and  
7 verified the information that they had put down. If they had --  
8 if they putt "I don't know" the nurse would try to find out, you  
9 know, and try to get an answer either one way or the other. If  
10 they didn't understand a question and so on and so forth. That  
11 was about a thirty-five minute process and so at the end of the  
12 day the data was pretty well verified and I think that showed up  
13 in some other numbers -- which I'll get to later -- that was  
14 quite helpful to know that they understood -- they understood  
15 the question and they could answer the question as accurately as  
16 they possibly could. And we could pretty well depend on that  
17 being a -- a good answer.

18 Then after they -- after the nurse finished with the going  
19 through the questionnaire and verifying that information then  
20 they were asked would they be willing to give a blood sample.  
21 And if they were then we got consent. If they -- if they had  
22 reported one of diseases, which we'll list a little later, they  
23 were asked to give a consent to go to a medical record to verify  
24 that that was what they -- what they reported on their

1 questionnaire would be the disease that they said it was.

2 Because that's important, too, that there's no misinformation.

3       After they completed the blood draw they walked back to the  
4 front and received their check for four hundred dollars and  
5 walked out the door. And then in two weeks a copy of the lab  
6 results were mailed to them with a letter to -- instructing them  
7 to take that to their private physician and go over it with them  
8 because we weren't making any recommendations as far as what  
9 their lab work showed. In fact, we really didn't even look at  
10 it. We just stuffed it in an envelope with a letter and sent it  
11 out.

12       Alert values were something that we anticipated. And what  
13 I mean by alert values, when you do blood tests, you know, you  
14 may discover things that are -- that the patient didn't have.  
15 And so we had several alert values on high sugar or diabetes,  
16 some low blood counts, some low electrolytes, some high  
17 electrolytes. Some of these were -- these were -- alert values  
18 are considered to be potentially life-threatening. And as soon  
19 as the laboratory run that we got -- we got a call to the office  
20 saying, you know, this lab number -- they didn't have the  
21 individuals, they just had the number -- has a whatever it was,  
22 high sugar, whatever. And we immediately contacted them and we  
23 stayed after that until we got a hold of them. And then we  
24 would find out, you know -- and some people said oh, I know it

1 was high, you know. But most of the time, or a lot of the time,  
2 they didn't know. And particularly with some of the things like  
3 low potassium, say, which can cause cardiac arrhythmias and  
4 very, you know, life-threatening. We also -- I remember one  
5 nineteen year old we had with an acute leukemia that didn't know  
6 it and was pretty close to getting into trouble. So we ran  
7 these down and we followed up the next day to make sure that  
8 they had been seen by a medical person to take care of the  
9 problem.

10 I think we had, as I recall, around thirty-five or forty of  
11 those out of the group that had the alert values that -- that we  
12 had to follow. I mentioned before that momentum was terribly  
13 important. We tried to figure out how we could keep that going  
14 so we thought the best thing to do was number one, to do focus  
15 groups. We had focus groups in Lubeck, Washington, Belpre,  
16 Little Hocking and Gallipolis area. And these were held on  
17 almost consecutive days, you might say. And they were carried  
18 out -- we watched through a one-way mirror, if you will, and we  
19 got a pretty good idea about what the people in the area knew  
20 about the litigation, what was coming down the road. And it  
21 seemed like the Little Hocking, Washington area was well  
22 informed. Even a question come out of Point Pleasant, we don't  
23 know -- we never heard of it. So they ran the gambit because if  
24 we were going to go out and speak to people in a large venue we

1 needed to know what they wanted to know and -- and we wouldn't  
2 waste our time by talking about a lot of things that weren't  
3 pertinent.

4       So after we evaluated those, it also helped us determine  
5 our TV spots, radio spots, print media and so on; what  
6 information -- we printed brochures, a whole lot of detail  
7 there. Because we -- we got -- we knew what questions that we  
8 needed to answer and answer them honestly and right up front.  
9 We felt like keeping them informed over the period of time that  
10 we were doing this, you know, to keep -- to keep the interest up  
11 was very important, too, to continue to get the participation we  
12 were looking for. We subsequently had town meetings on July  
13 11th at Blennerhasset Junior High, the 12th at Belpre Middle  
14 School, the 14th at the Point Pleasant Moose Lodge and July the  
15 15th at the Meigs County School for the Pomeroy area. And we  
16 really -- well Belpre we had to have two sessions because they  
17 packed the -- the middle school gym and so we did one group and  
18 dismissed them and brought in another and still didn't get all  
19 of them. And every place we went we had a basically standing  
20 room only or even out in the parking lots and we passed out  
21 brochures and things if they couldn't really hear the  
22 presentation. We also conducted meetings with the local  
23 physicians to indicate that they would be getting -- what they  
24 would be getting from the participants and so they understood

1 what was being done and they weren't blind-sided with that.  
2 That was not as successful as we would have liked but we did the  
3 best we could.

4 I had a little summary of what we -- a little summary --  
5 when we first started to look at this, what we thought we had to  
6 cover and I'm just going to beg your indulgence and just read  
7 them. Here's what we -- and this is not all-inclusive but I  
8 think it covers the major parts. We looked at the project and  
9 said well, we've got to set up a headquarters with the six  
10 modular units; we doubled them in Belpre and Lubeck, so that was  
11 four of them. The other two single at Pomrey and at Point  
12 Pleasant. We had to find locations that had the fiber optic,  
13 and the utilities, and the parking and easy access. We needed  
14 to decide how to publicize the C-8 Project, which I've touched  
15 on, to the public and physicians. We had to hire and train  
16 employees. Training procedures had to be determined and that  
17 total number come up to a hundred and thirty when we were  
18 running full -- full blast. So that was a lot of people to  
19 train and get on board rather quickly. We couldn't really start  
20 training and hiring them till the seventy million came down in  
21 July but we -- we had isolated a lot of names and so on and so  
22 we were -- we were a little bit ahead of the curve. We  
23 conducted the focus groups; we wrote the health survey; we  
24 coordinated all of the information technology issues. We

1 determined the process for eligibility requirements, which was -  
2 - which varied a lot because, you know, who -- who -- say a  
3 delivery guy who goes over from Parkersburg to Belpre and  
4 delivers around and drinks a little water, you know, is that --  
5 does that qualify them. And he's been doing it for ten years.  
6 Well there's a lot of issues that weren't really spelled out in  
7 the documents and we had to try to come up with what was a  
8 reasonable exposure and how do you prove your exposure. We  
9 found out that school records, you had to live -- actually the -  
10 - you had to live, work, or go to school in a district for that  
11 -- for a period of time that was from 1950 to December 3, for at  
12 least one year. So the school records probably panned out to be  
13 our best total thing but we used other things like water bills,  
14 tax tickets and on and on and on. We have that all listed in  
15 great detail. We had to hire the nurses, phlebotomist, for the  
16 test sites. Phlebotomist is the one that drew the blood. That  
17 is a special type of technical person. We had to -- we had to  
18 find the laboratories. We had visited two laboratories for the  
19 perflurocarbon testing; one was in -- in Vancouver in Canada,  
20 the other one was in State College, Pennsylvania. We went  
21 there, made an on-site inspection. We selected the lab to do  
22 the volume at State College and we used the lab in Vancouver as  
23 a quality control lab to make sure that the first -- the lab  
24 that was doing the bulk of them, they were getting comparable

1 results. And so they -- we also, at that time, when we told  
2 them, we said -- they said "How many you going to do?" We said,  
3 "Well, we think we could do three hundred and fifty a day."  
4 Well it takes a special piece of equipment to run the  
5 perflurocarbon test and those things were half a million dollars  
6 apiece at that time, I think; or six hundred thousand, somewhere  
7 in that range. And they had maybe two. Well they couldn't run  
8 -- they couldn't run fifty tests in a day on two. And so they  
9 had to kind of take a risk and buy enough of those  
10 spectrophotometers to run the test without knowing if they were  
11 going to get sixty thousand tests or not. But we thought -- so  
12 they -- they bought in and they purchased the equipment and we  
13 were -- we were good to go. For the actual blood work, the  
14 things like -- that you usually get at your doctor's office, you  
15 know, blood sugar, etcetera, etcetera, we used LabCorp. which is  
16 a national lab. They had -- they're in Columbus. We also  
17 visited with them and inspected them. They could -- they had  
18 the manpower to handle this type of volume rather quickly. They  
19 were nationwide so if we had people that lived out of the area  
20 that wanted to qualify because they could do it online, we -- we  
21 would have a place to send them to get their blood drawn. And  
22 we had about seven hundred or seven hundred and fifty of those  
23 individuals throughout the testing period.

24 We were able to -- because of the volume we were able to,

1 again like I mentioned, we were about to bring down the cost of  
2 the perfluorocarbons as well as the other blood tests with  
3 LabCorp. which gave us an opportunity to process a lot more  
4 individuals because we could save money in a fixed cost like  
5 that and then we could use it to -- to add to the number.

6 We needed to determine a process to assure the validity of  
7 the survey data; determine the process of scheduling  
8 participants; create time models; set-up employee contracts with  
9 insurance, rental agreement, utilities and so on and all  
10 vendors; determine the process to pay the participants for blood  
11 surveys and, you know, we've -- like I said, we would write them  
12 a check as soon as they finished. That had to automatically go  
13 into the banking system and show that a check had been drawn on  
14 the bank and so it was a constant update so that the next --  
15 tomorrow they could put more money over in the checking account  
16 and so on. And we also wanted to protect these funds with  
17 security and we spent a lot of time with firewalls -- that's a  
18 computer term -- so some hacker couldn't get into the bank and  
19 hack seventy million dollars out of there. So there's a lot --  
20 a lot of things to think about. And we determined how we were  
21 going to get the medical records and verify the claimed  
22 diseases. We would work and be very open and available for the  
23 media. Work with the science panel once -- it wasn't  
24 empanelled, you know, until sometime later, I think like March

1 or April -- but we would work with them as best -- you know, see  
2 what their interests were and then other consultants that we  
3 felt we needed. And then that's when we began to use Dr.  
4 Ducatman quite a bit to offer us advice from his field of  
5 expertise, which turned out to be really a very good thing. And  
6 then we needed to determine a process for settling any legal  
7 matters or questions and try to determine how former residents  
8 would -- who had moved away that heard about this and could  
9 qualify would participate. So that was quite a -- quite a  
10 lengthy list to go through but I -- a lot of detail as we looked  
11 at it; and that's not all inclusive, obviously. Things came up  
12 during the process that we had to make some mid-course  
13 adjustments and so on.

14 We started the media -- let me get back to my notes so I  
15 won't get too lost. So to summarize a little bit, we -- we  
16 verified the health survey which included water usage,  
17 employment history, military history, past medical history,  
18 pregnancy history, family history of diseases and health habits  
19 as regard to tobacco use and exercise. The diseases that we  
20 asked to be reported to us, and this is not too lengthy of a  
21 list but it was -- and some of the diseases maybe some of lay  
22 people in here won't -- won't recognize but they may recognize  
23 them all -- is Addison's Disease, Lou Gehrig's Disease or ALS,  
24 anemia, birth defects, cancer, stroke or cerebral vascular

1 accident, Cushing's Syndrome which has to do with a glandular  
2 problem, diabetes -- of course sugar -- heart disease, liver  
3 disease, lupus -- which is a connective tissue disease --  
4 multiple sclerosis, pregnancy complications, Prognoze\* -- which  
5 has to do with a spasm of the small arteries in the extremities  
6 usually -- rheumatoid arthritis, scleroderma -- which is another  
7 collagen disease -- Sjogren's Syndrome and thyroid disease. And  
8 they -- if we'd had this probably to have done over we would  
9 probably have added a couple. We asked for rheumatoid  
10 arthritis, we probably should have asked for osteoarthritis,  
11 too. I think we picked up some when the nurse talked to them  
12 because they would confuse one with the other anyway. So we  
13 were able to probably pick up some. Osteoarthritis, although it  
14 was not a particular question; and we would have probably asked  
15 for Gout which is -- has to do with uric acid in the blood and  
16 also it can cause a very painful arthritis. So we weren't  
17 perfect in it but we were close. We -- we took advice or we  
18 consulted with the science panel to try to see what they were  
19 looking for. We were able to tweak it here and there. We also  
20 looked at other health surveys, probably four or five. And in  
21 other words, we didn't reinvent the wheel although we did have  
22 to tailor-make it somewhat for the water usage and the people  
23 moving about, you know, people moving around a lot. So we had  
24 to have a lot of address changes and things like that which

1 ordinarily you probably wouldn't have in a health survey. So it  
2 was a -- it was a little bit tailored to some extent but by and  
3 large pretty standard questions were asked.

4 So from July the 8th to July of 2006 was when we closed  
5 down the collection of all the specimens. And it -- I think  
6 it's -- it gives you an idea of how fast we really moved. And  
7 I'm going to read this because I think for the record,  
8 [Reading]: On July the 8th we announced that we were ready to  
9 begin collecting health information and blood samples from those  
10 wishing to participate.

11 And we had gotten out a lot of TV ads, etcetera, etcetera.  
12 In fact there were so many people we had a collection data --  
13 people that did surveys were in Vermont, ORC, I believe was the  
14 -- the abbreviation. And we told them that we expected to  
15 really get bombarded. Well what happened was that their servers  
16 would not take the load and that shut us down for three or four  
17 days until they could get enough hardware and get the software  
18 straightened out so -- because we just -- the old term the  
19 computer guys used, we smoked it. And they had to -- they had  
20 to really make some adjustments because they never -- they told  
21 us we'd get two thousand is what they told us. We got twenty-  
22 two hundred the first four hours. So -- so, you know, it just -  
23 - it just overwhelmed the system. We began testing on July the  
24 25th at Lubeck; August the 12th in Belpre, Little Hocking

1 District; August the 25th Pomeroy, Ohio. And on September the  
2 8th the final testing site was opened in Point Pleasant. So by  
3 September the 26th we were in full swing in all the six  
4 districts. At that time nearly thirty-five thousand people had  
5 submitted the seventy-three page document that they needed to  
6 take care of. That's about three months. So somewhere around  
7 twelve thousand a month that were going into the database,  
8 filling out the questionnaire at that time. On October the 27th  
9 we had received forty thousand questionnaires. On November the  
10 23rd we were at fifty thousand questionnaires and -- and had  
11 signed up those people for appointments and blood testing.  
12 January the 13th we reported that we were seeing three hundred  
13 and sixty a day, average, in six units. On January the 25th we  
14 began to push data, I believe, to the science panel so they  
15 could begin their work.

16 Q. 2006?

17 A. Huh?

18 Q. 2006? Was that January 20th of 2006?

19 A. No, January the 25th is the date I have here that we  
20 began to really feed them data.

21 Q. I'm just -- the year was 2006, though?

22 A. Yeah, oh yeah, 2006; I'm sorry. January the 30th we  
23 had more than sixty-seven thousand people had submitted  
24 questionnaires and we were still shooting for a goal of seventy.

1 February the 7th we had to stop taking questionnaires because we  
2 had exceeded the seventy thousand by a few and we knew with the  
3 limited resources that we -- we -- we couldn't -- we couldn't  
4 have any more questionnaires. It wasn't fair to have people  
5 fill them out knowing that we weren't going to be unable to test  
6 them. It so happened, however, that when we got through those  
7 and they came to be qualified, etcetera, a lot of them didn't  
8 qualify so we were able to open it back up on May the 23rd and  
9 take another fifteen hundred questionnaires. And we officially  
10 ended in July of 2006, pretty early in July. And total testing  
11 was sixty-nine thousand sixty-nine. So we -- we didn't quite  
12 get to seventy but we came close. So that was the chronology of  
13 that.

14 So I want to speak just briefly about Dr. Ducatman. We've  
15 -- once he came on board, probably early fall of 2005, and  
16 worked -- and worked with us basically continuously ever since.  
17 And he is head of the Department of Community Medicine at West  
18 Virginia University School of Medicine and he was very  
19 instrumental in giving us guidance in certain areas and  
20 particularly when it comes to assimilating the database and  
21 clean -- as they call cleaning it so it was -- people who wanted  
22 to look at the de-identified data would -- could reasonably be  
23 able to do that. We fed the data, basically, online to the  
24 science panel by the end of the -- by the end of collection

1 period; and they were getting it real-time. I think it's in  
2 Atlanta.

3       So then after we got the data, which it took a lot longer  
4 to get the data in a presentable form of cleaning it and so on  
5 and making sure there was no identification markers in that one  
6 database, and we delivered that to the Court and I don't  
7 remember the exact date that we brought it down here but I think  
8 it was late 2006 or early 2007; I can't remember that date. But  
9 we delivered it along with the demographic data which -- which  
10 linked the participant to the data in the database and couldn't  
11 be identified. That database, the one that the participant  
12 could -- that had their demographic data, their picture, all  
13 their documents were scanned and put on disk -- that is under  
14 lock and key and can only be accessed through a court order. So  
15 there's no way that -- that with the database that is open to  
16 the public that anybody could -- and we promised that initially  
17 -- could identify an individual's result inside the de-  
18 identifiable database. And that's -- so that's under lock and  
19 key down here at the court.

20       The serum, that's one thing I kind of haven't mentioned.  
21 When we tested these people, of course they -- the LabCorp. lab  
22 only kept the serum like five days because they spin it down and  
23 they take off and they run the values. And they only stored it  
24 five days which was okay. But when it came to the

1 perfluorocarbon testing we determined that it was best that we  
2 save any excess serum from the laboratory -- which was the one  
3 in State College, Pennsylvania -- and subsequently all that  
4 serum, on every participant, all sixty-nine thousand was kept  
5 and eventually transferred to the tissue bank at West Virginia  
6 University. It's actually the property of the individual person  
7 whose serum it is, but it was funded by the class to put in  
8 there. And that turned out to be Godsend because after we  
9 tested about twenty-five people -- or twenty-five thousand  
10 people we noticed that -- we determined that there was glitch --  
11 it was determined that there was glitch in some of the results  
12 because we had one lab, as I reported earlier, doing the quality  
13 against the other lab that was doing the volume. And apparently  
14 there was a standard that got interpreted wrong, mixed or  
15 whatever, so when they standardized their machine to run the  
16 perfluorocarbon it wasn't the proper standardization; we had a  
17 glitch. So we had to go back and re-test the -- once we figured  
18 out what the problem was, we had to go back and re-test that  
19 twenty-five thousand but we had the serum to do it. And then  
20 the re-testing was okay; it worked out just fine. There's still  
21 serum, I think, on every individual still stored at the tissue  
22 bank. It's under restrictive type -- you can't just -- an  
23 individual could get their sample if they wanted it. I don't  
24 see what they'd want it for but they could. But the -- but in

1 order for a researcher or somebody, there's a restriction on --  
2 on how that's to be used. And as far as I know it will be  
3 stored up there for a long period of time; there was no time  
4 limit on -- on, you know, moving it out. So it's -- that was a  
5 -- that really turned out to be a good move. And although you  
6 wouldn't -- you don't like to have to re-test but sometimes that  
7 happens in a biological world.

8       And then I'll just point out what I think was kind of  
9 unique about this collection. We basically collected data on an  
10 entire exposed population. And ordinarily that's not done that  
11 way. You would look at representative -- you would select at  
12 random a number, some number, and then you would test those  
13 people and then you extrapolate out those results into the  
14 population. But this -- this study basically encompassed the  
15 entire population, as far as we could tell, that was exposed.  
16 We verified the survey information. Ordinarily I think that's  
17 not done with the medical personnel. So that turned out to be  
18 very important. We were able to -- the medical record  
19 verification of -- verification of reported diseases, that again  
20 was something unique that usually is not done. It's a very  
21 costly thing to do, by the way. But it paid great dividends.  
22 We had thirty-six thousand participants to report that they had  
23 disease; we were able to validate sixty-five percent of that  
24 number. The reason we couldn't validate them all were --

1 everything from records were destroyed. Remember we had the big  
2 floods and the records in Marietta were destroyed? Records  
3 other places were destroyed. Physicians had died. The records  
4 just got, you know, dispersed out into space and so on. But we  
5 did get sixty-five percent of the thirty-six thousand. And the  
6 interesting thing we found that the ones -- of the sixty-five  
7 percent we were able to validate with ninety-seven percent  
8 accuracy. So every hundred diseases reported, ninety-seven of  
9 them were -- were verified to be -- that was the -- the  
10 participant had reported accurately and maybe sometimes with the  
11 help of the verification. So we felt that was -- was -- was  
12 different and pretty impressive.

13 And also long-term storage of serum. That's probably not  
14 something that's done at least routinely.

15 So in a final summary, this was a very large database on a  
16 very large population, obviously. I think there's something  
17 like fifty million columns of data in the database. I think  
18 that's what my IT guy told me at one time. Of course to those  
19 guys that's -- you know, that's like your wristwatch but I mean,  
20 in our world that's unbelievable -- but he said fifty million  
21 columns. I don't know of any health data collection of this  
22 magnitude in such a short period of time that's ever been done,  
23 to the best of my knowledge. It's an unbelievable wealth of  
24 information in this data to be studied on this population for

1 years to come, notwithstanding the C-8. I'm talking more about  
2 the -- the blood tests that were done and some of the  
3 unsuspected diseases that we found in people. We found several  
4 cancers that weren't known about because of the cancer markers.  
5 These are grateful people; we saved several lives, probably,  
6 over the period of the time. And hopefully that it can be  
7 studied in years to come to improve the health status of the  
8 Mid-Ohio Valley.

9 And in enclosing, my Brookmar team would like to thank the  
10 Court for its confidence in Brookmar in appointing us to  
11 undertake this project and hopefully all will benefit from our  
12 effort.

13 Q. I don't have any other questions of Dr. Brooks.

14 MR. JANSSEN: No Your Honor. I'm Larry Janssen  
15 for DuPont; no questions.

16 THE COURT: I guess I had some question but  
17 whether it be you or Dr. Ducatman with regard to the diseases.

18 WITNESS: I think he'd probably better speak  
19 to that because he's studied the database a lot more.

20 One thing I want to mention, we have been working on a  
21 chronicle; and we don't have it complete and this is sort of  
22 what I was summarizing as best I could. We want to get that  
23 completed and when we get it completed in the next few months  
24 we'd like to bring that down to court to put it in the public

1 record. Okay?

2 THE COURT: Very well.

3 MR. DEITZLER: I don't have any further  
4 questions. If it's okay with Court when he gets set down we'll  
5 just call --

6 THE COURT: That would be fine. Thank you,  
7 Doctor.

8 MR. DEITZLER: Call Dr. Ducatman next.

9 *[WHEREUPON, after being administered the oath, Dr. Ducatman*  
10 *testified as follows, to-wit:]*

11 DIRECT EXAMINATION

12 BY MR. DEITZLER:

13 Q. Would you identify yourself for the record please, Dr.  
14 Ducatman?

15 A. My name is Allen Ducatman.

16 Q. And your profession?

17 A. I'm a physician and I work at West Virginia  
18 University.

19 Q. And Dr. Brooks said you had some additional help with  
20 regard to this; did you have other consultants that you used  
21 with regard to your work on this particular project?

22 A. Yes we have -- we have a research team at West  
23 Virginia University.

24 Q. Could you just kind of describe what that team

1 consists of for the Judge?

2           A.     Certainly, we have on the team -- currently at this  
3 moment -- there are three epidemiologists an endocrinologist, a  
4 research immunologist, a biostatistician and a number of  
5 students. And I'm sure I'm leaving someone out.

6           Q.     Okay. You -- Dr. Brooks said you were contacted by  
7 him or somebody from the Brookmar organization to do something  
8 with regard to data. Would you tell the Court what you did and,  
9 you know, what -- what your participation was?

10           A.     Initially Dr. Brooks called me with -- to request to  
11 review a project that was to be rolled out in the very near  
12 future and to comment on certain aspects that he asked me about.  
13 And the other substantive part of the request was that WVU  
14 consider being responsible for public affairs back to the  
15 people; that is to say to give general information back to the  
16 people of the Mid-Ohio Valley about the status of the data and  
17 what -- you know, what could be publicly framed fairly quickly.  
18 And so those were the two initial roles that Dr. Brooks called  
19 me about probably just a month or two before the survey began to  
20 roll out. I'm not sure of the exact timeframe but it wasn't  
21 long before.

22           Q.     And would you tell the Court and the public here what  
23 -- what you and West Virginia University did with regard to this  
24 project?

1           A.    We -- we did advise, initially -- we did advise Dr.  
2 Brooks initially about the -- some questions he had about the  
3 survey, some other issues about serving populations. And we did  
4 complete a contract with Brookmar to reflect data back to the  
5 population. And then the next thing that happened -- something  
6 that Dr. Brooks already mentioned -- and that was because of an  
7 observation by Dr. Fletcher of the science panel, there was --  
8 there was reason for concern about the quality assurance at the  
9 major laboratory. And because of that concern, it was expedient  
10 that West Virginia University become intimately involved with  
11 the actual data analysis very quickly in order to figure out  
12 if, in fact, there was a quality assurance problem; to  
13 characterize it's nature and to figure out what to do about it.  
14 And from that moment forward, West Virginia University also  
15 began to analyze the database in a scientific way.

16           Q.    Would you report as to the -- the analyziation of the  
17 data that you did, because I understand Dr. Brooks just had  
18 millions of columns of data and then you were supposed to  
19 convert it into something and then report back?

20           A.    I think you're asking me about that latter role where  
21 we began to analyze the data. What we -- what we determined,  
22 based on several rounds of looking at data, was that Dr.  
23 Fletcher's hypothesis that there was a problem was right on the  
24 money that there was. We determined an approximate, within a

1 couple of weeks date that Dr. Fletcher predicted we would find,  
2 concerning the nature of the problem where the levels in blood  
3 shifted dramatically for no accountable reason other than a  
4 quality assurance problem; and we determined a likely reason and  
5 then we went on to re-test people based on the different --  
6 based on a date in the sequence of when they got tested to re-  
7 test those serum. The other thing we could have done is modeled  
8 the difference. For a variety of reasons we thought it better  
9 to re-test those individuals based on the serum they had already  
10 donated and which were being stored at West Virginia University.

11 Q. Now you've separated the data into cortiles, different  
12 -- different types like male-female, age -- that sort of thing -  
13 - and C-8 exposure and that sort of thing so it could be  
14 reported in a meaningful manner. Could you -- could you tell  
15 the Court what you did with regard to that?

16 A. You're now moving on from the quality assurance  
17 problem to analysis of data, is what --

18 Q. Yes.

19 A. -- I think you're asking me? For -- for any study  
20 it's very important to analyze the population data in a way that  
21 makes sense. And that's often based on things you already know  
22 about -- in case of an exposure -- an exposure of interest. For  
23 example, you may already have reason to believe that there will  
24 be things that are different about children versus adults;

1 things that will be different based on age in general; things  
2 that may be different based on body mass; things that may be  
3 different based upon habits such as smoking or alcohol. Or, in  
4 the case of C-8, there could even be some dietary issues. And  
5 all of those things are part of different analysis. And then  
6 for each analysis it's also good to look at the actual  
7 concentration, the biomarker, of the particular perfluorocarbon  
8 in the patient's serum. They're not a patient at this point,  
9 they're -- they're a participant. And that can be reflected out  
10 as cortiles or it can be modeled as a -- as a whole population.  
11 It depends on how you want to communicate the data for clearest  
12 understanding. Sometimes you do both and then what is reported  
13 to a peer review journal and the others available in an  
14 appendix. There's lots of ways to look at it so that you do a  
15 good study that others can evaluate and investigate and  
16 understand what's been done and what the outcomes are.

17 Q. And you did?

18 A. We did, others have done. Our work is in no sense  
19 unique in that sense. We and the science panel did it for this  
20 population but many other investigators around the world have  
21 done it for other perfluorocarbon populations as well.

22 Q. So did -- did you separate it out by diseases, ages,  
23 and reach any results that you reported back that you can tell  
24 the Court about?

1           A.     There are a fair number of peer review papers.  Some  
2 for the science panel without West Virginia University, some  
3 from the science panel with West Virginia University;  
4 conversely, some from West Virginia University with the science  
5 panel and -- and a few -- we always do try to collaborate -- and  
6 a few from West Virginia University, just us.  So there are a  
7 number of papers, a number of outcomes, ranging from cholesterol  
8 to uric acid, to -- some to be published in the future.  There  
9 are -- I'm on the stand and a little nervous.  There are a wide  
10 variety of outcomes that we've looked at, even laboratory  
11 outcomes or codeable diagnosis outcomes or things that are  
12 reported but not really defined as either a codeable diagnosis  
13 or a laboratory test, age at beginning of menstruation or the  
14 sensation of menstruation would be two recent examples of that.  
15 So there are lots of studies.

16           Q.     I think Dr. Brooks mentioned cholesterol.  Is  
17 hypercholesterolemia a human disease?

18           A.     It's -- it's been coded -- so this was done at the  
19 time of the ICD-9 and it's coded as a human disease under it.  
20 If you -- if you -- there are opinions as to whether you should  
21 think of it as a risk factor or as a human disease.  For me, as  
22 a clinician, when people have lipids above a certain level we  
23 give them a very real drug, very real side effects, that they  
24 may endure.  And if I go on the National Library of Medicine

1 and, you know, and I want to look at cholesterol and disease  
2 management, which is the way a physician would do what's called  
3 at Boolean search to see, you know, what they would do in the  
4 example of somebody who's cholesterol needed disease management,  
5 I'm reasonably sure I'd get well over a thousand hits and I  
6 think it's probably safe to say over ten thousand. I -- I'm --  
7 I mean it's not a question to counter, it was just a point that  
8 this is not a -- it's a real problem. We manage the disease.

9 CROSS EXAMINATION

10 BY THE COURT:

11 Q. And I guess if somebody came in with high cholesterol  
12 and you did absolutely nothing as a physician you'd find  
13 yourself back here in Court?

14 A. I'm not going to speculate about that, Your Honor.  
15 But I certainly wouldn't rule it out.

16 Q. Let me ask you, about the quality assurance issue that  
17 you touched on, were you talking about what Dr. Brooks had  
18 mentioned about the calibration that was done at Penn State or  
19 State College, I guess the lab there? Their testing of twenty-  
20 five thousand?

21 A. Thank you. I don't want to affiliate this with Penn  
22 State. I -- we believe that this is -- I think it's reasonable  
23 -- very reasonable -- to believe that this was a calibration  
24 issue and that it resolved with the resolution of a calibration

1 issue.

2 Q. So it has been completely resolved?

3 A. Well, of course, we're no longer getting samples. But  
4 we have a paper in which these data are reported and we have the  
5 old data about the calibration. But the essential calibration  
6 issues already been reported in the methods paper which is in  
7 the peer review literature.

8 Q. Okay. Just -- just so I can understand as well,  
9 because I guess the lingo that -- that you use as far as your  
10 profession and the science panel, can it be said that you did  
11 testing that you would have determined either no probable link  
12 or a probable link to any of these diseases or other issues such  
13 as cholesterol or uric acid?

14 A. So probable link is a -- is a term this court uses and  
15 I may need a little guidance, Your Honor. For sure, beyond a  
16 shadow of a doubt, uric acid and cholesterol -- cholesterol more  
17 notably -- are associated with higher levels of PFOA. There is  
18 zero doubt of that.

19 For cholesterol and probably for uric acid, I think there's  
20 a really good chance that the association is causal, but none of  
21 the studies done so far absolutely prove that beyond a shadow of  
22 a doubt. That would require, to be absolutely certain, I  
23 believe that -- that most people who do these studies would want  
24 an enrollment population to be followed over time. And of

1 course as the exposure goes down there are complexities in doing  
2 that. Having said that, we see this in -- we see this in  
3 children, the same association. We don't think this is a  
4 confounding or risk factor issue. We don't think it's  
5 confounding by measurement. If it were confound by measurement  
6 I think people would long ago have discovered it; there may be  
7 other explanations. I think for those two there's a good chance  
8 they're causally associated. And then I leave to others as to  
9 whether or not -- because I have not had to think about before  
10 whether or not that relates to something about a probable link.

11 Q. Well we're not really talking, I guess as far as the  
12 science panel, causation and just to use the term that was  
13 defined in the agreement. Under 1.49 it says, [Reading]:  
14 Probable link shall mean that based upon the weight of the  
15 available scientific evidence, it is more likely than not that  
16 there is a link between exposure to C-8 and a particular human  
17 disease among class members.

18 A. If -- okay, so for cholesterol, because we treat the  
19 high levels, I would have to say that they are linked. For uric  
20 acid, we are not sure that the strength of the association is  
21 such that there are necessarily more uric acid gout patient.  
22 Gout has a number of different causes, one of which is uric  
23 acid. So we don't know that; I think there's a good chance but  
24 we really don't know. So I'll confine my comments for papers

1 that have already been published, to cholesterol. I think  
2 assuming we accept high cholesterol as a disease that we treat  
3 with real medications with real side effects and real  
4 consequences for patients, there is, based upon what you read to  
5 me, a probable link.

6 RE-DIRECT EXAMINATION

7 BY MR. DEITZLER:

8 Q. Doctor, did you place the data that West Virginia  
9 University compiled and any associations that you noted on the  
10 West Virginia University website?

11 A. The initial associations are all on the website.  
12 However, we rapidly have moved to peer review which we think is  
13 actually much more in the population's interest.

14 I think there's a lot of dedication to this population. As  
15 an aside, I would like to commend all the parties to how this  
16 activity that, you know, started with a dispute, I guess, has  
17 ended up serving the public health of the population. I think  
18 everybody who's involved can say we did the right thing here.  
19 And that makes me feel very good. So I guess that's my answer.

20 Q. So -- so if the people here in the courtroom want to  
21 go to the West Virginia University website they can see the  
22 compiled results of the data and any associations that were  
23 initially reported or --

24 A. They can see the initial associations but I would

1 really -- if they're really interested in finding out detailed  
2 associations at this point, they would go to the peer review  
3 literature. Now we could -- we could also put the peer review  
4 papers up onto our website with some copyright issues. They --  
5 they could -- they are, you know, the ones that aren't on web  
6 publications already have copyright issues so we have to just  
7 point out what they are. But there are a number of them and  
8 there are more coming with additional links.

9 Q. And then the database itself, would it serve -- as Dr.  
10 Brooks has said -- purposes far beyond the scope of this -- this  
11 litigation in that it's now available under proper protections  
12 from the court if anybody wants to study it?

13 A. There are -- there are two ways that the database  
14 could and -- only my opinion -- should go beyond. One is in  
15 continuing to serve the health of people who are interested to  
16 continue to participate if there's a funding source, which is  
17 for enrolled populations, often the federal government. And  
18 federal government did not enroll this population. So there's  
19 some complexity there in finding the means to do it. But that  
20 it should happen, this is an extremely rich database. And the  
21 central Appalachian area, the people of this region, have unique  
22 health issues which are insufficiently studied. And this is an  
23 extraordinary opportunity that goes beyond perfluorocarbons.

24 A second -- and I hope that opportunity, somehow, in some

1 way, comes to fruition. In addition, right now the only access  
2 to the database are the three scientists and my group; and  
3 there's a reason for that and that is because the data is not  
4 de-identified to federal de-identification standards nor is it  
5 in the hands of the federal government to ensure that everything  
6 is done right. I would love to see, in the future, some fund  
7 that -- I don't think it would take a lot -- so that we could  
8 continue the work that was begun to get this data resource so  
9 that everybody who has a scientific question could do what we do  
10 with the NHANS, the National Health Assessment Data. Go to the  
11 right place, you know, get the data. If it's going to be at a  
12 level where there's any question about identification or if it's  
13 to be fully identified, simply, you know, work in the public  
14 data set. Both of those conditions for different types of data  
15 sets would be a good thing for this population and a good thing  
16 for science.

17 MR. DEITZLER: I don't have any further  
18 questions.

19 MR. JANSSEN: Thank you, Your Honor. I have no  
20 questions.

21 THE COURT: You may be excused, Doctor. Thank  
22 you.

23 MR. DEITZLER: And the last witness will be very  
24 brief. Bob Astorg.



1 remaining funds?

2           **A. Yes, there are two reports after they finished their**  
3 **audit and although there have been more money transactions in**  
4 **and out, the net effect of the between the audited number and**  
5 **the number that I completed with was five thousand dollars.**

6           **Q. How much money was left over from the project after**  
7 **the Brookmar team did all their work in trying to get every**  
8 **person they possibly could from the population to get health**  
9 **history, blood draws, and then get them -- the data examined by**  
10 **West Virginia University?**

11           **A. Eight thousand thirty-one dollars and fifty-eight**  
12 **cents.**

13           **Q. And if that was divided among eighty thousand people,**  
14 **what would that be, about ten cents apiece?**

15           **A. I honestly can't do it without a calculator.**

16           **Q. You're killing me Bob. So what -- according to the**  
17 **court order, what was done with the -- with the --**

18           **A. It was -- it was -- a check was written to the Good**  
19 **Samaritan Clinic in Parkersburg, West Virginia.**

20           **Q. Now I think my point I was trying to make earlier was**  
21 **that if we mailed a check to all the participants, the postage**  
22 **would be more than the amount that they would get, would you**  
23 **agree with that?**

24           **A. I would agree with that.**

1 Q. All right. Do you have a copy of your final written  
2 report?

3 A. Yes I do.

4 MR. DEITZLER: I'd ask that we mark that as an  
5 exhibit and file it with the court if that's okay.

6 Exhibit is marked as Plaintiff's Exhibit No. 1, Your Honor.

7 THE COURT: Okay. Thank you.

8 MR. DEITZLER: And I don't have any further  
9 questions for Mr. Astorg.

10 MR. JANSSEN: Nothing, Your Honor.

11 THE COURT: You may be excused; thank you.

12 MR. DEITZLER: Judge, that's all we have with  
13 regard to the Brookmar Health Project. And I already reported  
14 the DuPont number on the water filtering, which as I said, just  
15 is absolutely excellent on their part. I think when we went  
16 into the project we thought it would only cost around ten  
17 million dollars and they stepped up to the plate with the  
18 additional twelve million to get the job done. So it's just --  
19 they're a real community partner on that and they deserve to be  
20 commended. On the -- we receive, as the parties, we receive  
21 what's called disbursement requests on what the science panel  
22 spends. We don't have an accumulation of those so I can't  
23 present that. Garden City Group would have that if the Court  
24 feels that it's appropriate to have that put into the record.

1                   **THE COURT:**       What -- what does that entail?

2                   **MR. DEITZLER:**   That will tell all -- all the  
3 money that was given to the science panel, who they contracted  
4 with, what they spent it for. In other words, tell the public  
5 where the money's going that's related to the project, which we  
6 get calls all the time about what's going on. And I -- you  
7 know, I don't know if we can report that or not.

8                   And then the other thing was the end points on the studies  
9 that we had initially reported to the public that -- for  
10 instance, the heart disease study will be done in 2008; the  
11 community follow-up was -- the longest one 2011. There was an  
12 immune function that was supposed to be done -- you know,  
13 everybody's asking us these questions and I'm not in a position  
14 to present any answers to that to these people here.

15                   **MR. JANSSEN:**   Your Honor, again Larry Janssen  
16 for DuPont, the science panel I think is prepared to address  
17 those issues. I just want to make the Court aware that in  
18 addition to the expenses of the science panel, which are --  
19 which are large. I don't have the exact amount on the top of my  
20 head nor is that -- is their work done. And they are just the  
21 tip of a very big iceberg here because they have a lot of not  
22 only staff but other technical folks working with them. But in  
23 addition to that, DuPont, pursuant to agreement and Plaintiffs'  
24 counsel and otherwise, have continued on and performed and

1 completed studies -- many studies -- of the Washington Works  
2 Employee population and, in fact, I believe there are ongoing  
3 studies. So there is a massive amount of combined effort at  
4 enormous expense being done.

5 **THE COURT:** Well is that being made available?

6 **MR. JANSSEN:** Everything is being made  
7 available. I don't know if it's real-time but virtually so, to  
8 the Plaintiffs as it is -- and to the -- to science panel?

9 **THE COURT:** What about the public?

10 **MR. JANSSEN:** Well I think that -- I don't know  
11 if this is being posted, I know that it -- some of it is already  
12 being written up and published in scientific journals and that  
13 is available. But I don't think that beyond that, at least from  
14 my knowledge, that those studies are being sent out in any way  
15 to the public. But they -- they are going to Plaintiffs'  
16 counsel.

17 **THE COURT:** I'm talking about the expenses  
18 with Garden City.

19 **MR. DEITZLER:** The Garden City Group, what  
20 happens is when the -- to pay for the project, DuPont pays all  
21 the bills but they pay into Garden City Group based upon  
22 disbursement requests submitted by the panelist. And then each  
23 individual disbursement request comes to both parties and then  
24 if either party has an objection they can raise it. I don't

1 think there have been any. And in -- so the -- but the  
2 repository right now is Garden City Group. They -- and they --  
3 I assume that they keep tabulated totals of everything, which I  
4 don't have --

5 **THE COURT:** You don't have any?

6 **MR. DEITZLER:** I have the disbursement requests  
7 but I don't have -- we don't get a tabulated total report from  
8 Garden City; and then there's none on file in the Court. And  
9 the -- the -- so if the Court would like to know what money is  
10 being spent and to whom it's being spent, the parties not only  
11 have that, other than Garden City Group which can -- could  
12 submit a report and provide the Court with all that information.  
13 And then that would make it accessible to the public.

14 **THE COURT:** I think it should be made  
15 available to the public. Is there a problem with that?

16 **MR. JANSSEN:** I don't -- I don't see a problem  
17 with that, Your Honor. It's just a -- just a matter of making  
18 the request and getting their up-to-date -- of course it's not  
19 final -- but up-to-date amount spent.

20 **THE COURT:** And I know we were scheduled for  
21 three. I plan on rescheduling the other hearings so that we can  
22 continue. What I will do is take a short break, and then the  
23 science panel, I would like to put them under oath so that they  
24 can give testimony and either side can ask any questions.

1 I also felt that DuPont stepped up and did many things and  
2 Plaintiffs' counsel have worked together well. I guess my  
3 frustration is with the science panel. And I'll just let you  
4 know now that, you know, it seems like what was said by at least  
5 Dr. Duckerman that he's been able to find -- I guess he was  
6 unsure as to the technical use of the word probable link but I  
7 guess it raises the question as to I would like know, you're  
8 getting paid well to do this and I just don't see anything being  
9 done. And you're here today and gone tomorrow and, you know,  
10 people live here and they want answers. Some I'm frustrated. I  
11 get a report that, you know, you're going to let me know  
12 something in July. That's not good. July next year. I would -  
13 - and I will propose this now, I'm just so frustrated that  
14 perhaps select other names for a different science panel. So  
15 we'll take a break; you all can discuss that.

16 *[WHEREUPON, a brief recess was taken at this time after*  
17 *which the proceedings continued as follows, to-wit]:*

18 **THE COURT:** All right. We're back on the  
19 record.

20 **MR. DEITZLER:** I think you -- if I understood  
21 your last comments before we broke you were going to ask the  
22 presentation from the science panel. Since neither party  
23 represents the science panel I guess they can just take the  
24 stand on their own.

1                   **THE COURT:**       Okay.  And then both parties'  
2 attorneys can question as they desire.  And I don't care who  
3 goes first.  Whoever wants to come forward?

4                   *[WHEREUPON, after being administered the oath, Dr. Kyle*  
5 *Steenland testified as follows, to-wit:]*

6                   **DIRECT EXAMINATION**

7 **BY MR. JANSSEN:**

8                   Q.   Well Your Honor, with the Court's permission I'll go  
9 first.

10                  Dr. Steenland, you were in the courtroom and heard Judge  
11 Beane's comments just before the brief recess that we had.  We  
12 can either start with your response to that, if you have one, or  
13 I know that you -- because you met with all counsel here at a  
14 luncheon before we started today -- I know that you had prepared  
15 in advance a short statement or presentation and perhaps you'd  
16 rather start with that; you're choice.

17                  A.    I would.  Am I free to do so, Judge?

18                  **THE COURT:**       *[Nods head affirmative].*

19                  A.    I understand that folks would like an answer to this  
20 question as soon as possible and that time has gone by.  And I  
21 would like to say that we have been as diligent as we can in  
22 answering this question correctly, because you can answer  
23 quickly and wrong or you can answer after adequate times gone by  
24 and answer it the best that you can and hopefully be right.  And

1 that's been our job from the beginning and that's what we've  
2 been doing.

3 There are several reasons that need to be spoken to about  
4 the strengths and weaknesses of the Safe Health Project data  
5 that is the basis for much of the work we've been doing.

6 First off, it's an extraordinary job -- Paul Brooks has  
7 described it -- to collect that much data so quickly and  
8 accurately. And it has formed a basis for almost everything  
9 we're doing. That said, there's, from an epidemiologic stand  
10 point -- from a scientific stand point -- trying to figure out  
11 whether you can determine probable links of disease in relation  
12 to higher or lower PFOA levels, there's some weaknesses in that  
13 data. And there's -- the two main ones are -- what we like to  
14 do is know whether people with higher PFOA or C-8 have more  
15 disease. And so you need to know who's got disease but you also  
16 have to know when they got it. And you have to know about what  
17 their PFOA levels, or C-8 levels in their blood, were likely to  
18 have been before they got the disease, not afterwards.

19 So we know from the C-8 Health Project what people's levels  
20 of PFOA in the blood were in 2005 and 2006. And we know people  
21 have reported history of disease at the time of the Safe Health  
22 Project. For example, they may say, I've had heart disease.  
23 However, it does not tell us when they had heart disease nor  
24 does it tell us what their likely PFOA level was before they had

1 that heart disease. So if you say someone had heart disease in  
2 1992 we need to know what we think the PFOA level in the serum  
3 was before that, not after that. And so that depends on their  
4 residential history -- that's where they lived -- how far they  
5 lived from the plant; whether they were drinking public water or  
6 private water. We spent quite a bit of time reconstructing  
7 those residential histories and determining what direction the  
8 wind blew from the plant with the particles of C-8 on them; how  
9 long it took to get to the ground and how long it took to get  
10 from the ground into the groundwater and into the public water  
11 system so we can estimate what people have been drinking at what  
12 point in time.

13 That is a major, major thing to do. I -- to go into all  
14 the details of it would take a long time, but it is not an easy  
15 thing to do. We have completed that effort. We've published a  
16 couple and more things are in the pipeline. I think that would  
17 stand as a model of how you figured out what happened in the  
18 past from what you know in 2005. We had to figure out what the  
19 emissions from the plant was in the air; what went to the river;  
20 how much the river communicated with the groundwater supply  
21 overtime, starting in 1950 when DuPont began manufacturing C-8.  
22 And we had to determine how far the particles went before they  
23 were deposited on the ground; how long it took to get from the  
24 ground to the groundwater. We also had to determine whether or

1 not people were on private or public water, which is not an easy  
2 thing to do because water companies only have some suggestions  
3 about that; they can't tell you with accuracy. We had to  
4 reconstruct where pipelines were laid. This has been a major  
5 thing to do. And it's fundamental, determining whether or not  
6 people -- what their levels of C-8 or PFOA were in their body  
7 before they got sick, which is key.

8       The second thing you need to know is not only what water  
9 they drank but how long it takes to get out of their body,  
10 right? Because if you want to know what somebody had in their  
11 body in 1990 before they got the heart disease you need to know  
12 over time how much they drank and how fast it got excreted.  
13 Well how do you figure that out? In the literature there was  
14 some data about that based on about twenty-five people at 3M's  
15 plants in Minnesota; it was not adequate. It tells us that in  
16 three and a half years about half of the PFOA in your blood  
17 disappears.

18       We thought that this was insufficient data to make a real  
19 good conclusion so we -- we planned a study of two hundred  
20 people which -- and to the credit of the community, all of those  
21 two hundred people have consistently shown up and given us their  
22 blood over a four-year period after those water filters that  
23 DuPont installed went in. So after the C-8 went out of the --  
24 out of the water we could see how fast it went out of the blood;

1 that was also critical. So you need to know what people drink  
2 and you need to know how fast it gets out of the body. These  
3 things cannot be answered quickly and they can't be answered  
4 with the C-8 Health Project data.

5 So, that said, the C-8 Health Project data was key to us  
6 because it gave us a list of people; it gave us a lot of self-  
7 reported data on demographics; it gave us a lot of stuff on  
8 cholesterol and blood measured by LabCorp. And it gave us a  
9 place to start, really. Because in our view, we had to follow  
10 people further. We couldn't just rest on the laurels of the C-8  
11 Health Project to answer these questions for the reasons I've  
12 explained. We need to find out what people have had in their  
13 blood in the past and find out when they got this disease. And  
14 then we compare disease rates between those with high levels in  
15 their blood and those with low levels in their blood over time,  
16 not in 2005 but before they got disease.

17 So from a scientific stand point that is the only way you  
18 can answer this question about probable link. That said, we  
19 said once we get the data from Brookmar it's going to take us  
20 three years to conduct these studies we're conducting. I'm  
21 going to describe those studies because there's eleven of them.  
22 There's a reason why there's eleven of them.

23 I've described two, the half blood and the exposure study.  
24 We got the data from Brookmar finalized after the re-analysis of

1 the errors in the blood that Paul Brooks described, in April  
2 2008. It is now May of 2011. We have finished our research  
3 program and collected our data. We are now putting it all  
4 together and analyzing it. And I believe we've done pretty much  
5 what we said we were going to do. Furthermore, I think we've  
6 done it in a pretty fast timeframe for the way these things work  
7 in the world.

8 So that said, let me describe what we thought had to be  
9 done to figure this out. I mentioned the half life study, the  
10 study of how fast it gets out of your blood. And I mentioned  
11 the reconstruction of exposure over time. So what else did we  
12 need to do? Well first of all, we felt we needed to go back and  
13 interview these people again and determine what disease they  
14 reported, similar to before, but also when they got that disease  
15 and any new disease they might have gotten since their  
16 measurements in 2005 or what their PFOA was in their blood. And  
17 so we did that; we interviewed thirty thousand community members  
18 who are adults and we interviewed about five thousand workers at  
19 DuPont plant. We've done it twice. We have also, in addition,  
20 matched the records of those thirty thousand community residents  
21 with both the West Virginia and Ohio Cancer Registry, with the  
22 National Death Index to find out who died and what they died of;  
23 and with the United States Renal Data System to see if they had  
24 kidney disease.

1           We take all these sources of information plus the self-  
2 report. We add to that the medical record validation that we  
3 also are conducting -- which has been mentioned, is a difficult  
4 thing to do -- and which we expect to get the last data delivery  
5 we're going to get which is in September, will be the medical  
6 record validation. So we've interviewed thirty-five thousand  
7 people and we've matched their records to a variety of disease  
8 sources. We've put that together; we've estimated what their  
9 past levels of PFOA were in their blood and we're going to try  
10 and figure out and we're going to report to the Court which  
11 chronic diseases show, if any, a higher rate of disease in  
12 people with more PFOA in their blood over time before they get  
13 sick.

14           I challenge -- I don't know if I'd say challenge -- other  
15 epidemiologist in this world -- but I don't think you can do  
16 this a whole lot faster and get a decent answer than we've done  
17 it. We are scheduled to report on time, I believe, what we  
18 know.

19           Now let me address the cholesterol question which we've  
20 heard some testimony about. We reported -- we got the data in  
21 April 2008, final data, from Brookmar. And in the end of 2008  
22 Tony was a --

23                           MR. FLETCHER: October 8th was the status report.

24 BY MR. JANSSEN:

1           A.    On October 8th, which was what, five months later, a  
2 report to the Court that there was an association between  
3 cholesterol and PFOA, which we then published in 2009 or 2010.  
4 So we brought this to light, as well as Alan Ducatman at WVU.  
5 And the problem is that because you see in the blood of people  
6 in 2005 that high cholesterol is related, correlated, associated  
7 with higher PFOA you don't know which came first. You don't  
8 know if the PFOA precede the elevation of cholesterol. That's a  
9 fundamental thing you need to know, that exposure precedes  
10 disease. You can't tell that from that data. Therefore one of  
11 the studies we designed was what we call the Callback Study. We  
12 brought eight hundred people back in, two or three years later -  
13 - well probably three or four years later -- after their blood  
14 was measured in 2005; and Dr. Fletcher has headed this effort.  
15 And we measured their cholesterol again after those filters had  
16 been put in. So when the filters were put in the PFOA dropped.  
17 So the question is: Did the cholesterol drop? Because if these  
18 things are related in some way -- you've got to think about it,  
19 if -- if PFOA is higher in 2005 and cholesterol is higher in  
20 those people, is that because they -- they run together for some  
21 reason we don't understand biologically about how blood and  
22 cholesterol works, or is it because the PFOA causes cholesterol  
23 to go up? Well you can answer that by -- by bringing these  
24 eight hundred people back in and seeing where their cholesterol

1 has dropped. We know their PFOA has dropped; we measured it  
2 again. Those filters have taken it out of the water system. So  
3 now the question is: Does the cholesterol drop at the same time?  
4 That gives you a whole lot better way to answer the question  
5 whether there's a probable link between cholesterol and PFOA.

6 THE COURT: What happens if they're on  
7 cholesterol medicine?

8 WITNESS: We take that into account. We  
9 know whether they're on Statins and we take -- either take them  
10 out or we analyze them separately.

11 So that's been our approach to that. So that half life  
12 study -- excuse me, that Call Back study of eight hundred people  
13 not only considers cholesterol but every kind of cross-sectional  
14 thing that was measured in 2005 like immune markers, liver  
15 enzymes, kidney function. So all that stuff, if it's been  
16 elevated by PFOA -- uric acid -- when that PFOA goes down, those  
17 things will go down. That's the definitive way to find out  
18 about that and that's what we've done. That data's just been  
19 delivered to Tony. We'll be analyzing it and we'll be -- we'll  
20 be using it and making a decision about whether these bio --  
21 what we call biomarkers -- cholesterol, uric acid, things you  
22 can measure in the blood, are related to PFOA.

23 I won't get into the question of whether  
24 hypercholesterolemia is a disease. We -- we'll get into that

1 but not at this moment. We will say whether we think  
2 cholesterol is related to PFOA. And more importantly we'll say  
3 whether heart disease is related to PFOA because cholesterol --  
4 you can have high cholesterol and it increases your risk of  
5 heart disease but you might not get heart disease. So the  
6 question -- the bottom line is, from a health standpoint, did  
7 you get heart disease from that high cholesterol and did the  
8 PFOA give you heart disease? And we'll answer that question.  
9 We'll answer it based on the interviews of thirty thousand  
10 people in the community and the five thousand people in the  
11 workers.

12 THE COURT: When?

13 WITNESS: We'll answer it in July of 2012.

14 And if we have an answer before that we'll answer before that.  
15 And for some outcomes, which do not depend on the cohort -- long  
16 cohort follow-up studies with the interviews, we will make an  
17 answer before on probable link. And I'm specifically referring  
18 to the reproductive studies. Those studies are independent of  
19 several things I've mentioned; they don't depend on these re-  
20 interviews that we've done for thirty thousand community  
21 residents and five thousand workers. And we should be able to  
22 answer the probable link question on reproduction this year,  
23 that's before Christmas. I think I'm under oath and I won't  
24 swear to a specific date, but that's our goal. And I believe

1 that's possible.

2 Now I want to mention a few of the other studies that are  
3 being done. I said we designed eleven studies and I've  
4 mentioned a number of them but not all of them. And they're --  
5 the reason that you can't do some studies -- one study that's  
6 going to answer all these questions about these different  
7 diseases. So, for example, we are doing the study of  
8 neurodevelopment where we look at cognitive testing and IQ  
9 testing in children -- David, correct me if I'm wrong, he's  
10 leading that -- five to twelve in that age range. And we've  
11 taken -- we've got -- taken these vans out to folks houses and  
12 measured, with great cooperation from the community, four  
13 hundred kids, if I'm not mistaken. And that will give us a very  
14 good answer on whether there's any neurodevelopment relationship  
15 between PFOA and -- and these -- these -- these tests we're  
16 conducting.

17 So there's also several other studies that we're doing.  
18 We're doing a geographical study of cancer to see whether areas  
19 with higher PFOA historically have had higher rates of cancer on  
20 a global basis; that is to say within say a census block group  
21 or a zip code. Have those ones with higher PFOA had higher  
22 cancer rates? We've had some difficulty getting data from the  
23 West Virginia Cancer Registry because they've changed staff.  
24 And what I mean by a difficulty I mean a year, not months here.

1 That difficulty, I believe, has been overcome. It's not been  
2 easy to overcome.

3 I think I could go on to describe these studies but they  
4 are on our website; you can read about them. And every time we  
5 find something along the way we report it to the Court in what's  
6 called a Status Report. We put that Status Report on the  
7 website; it's public the moment we deliver it to the Court. We  
8 try and provide information to the public as soon as we can,  
9 consistently. And you can read about all these studies on our  
10 website. You can read all the Status Reports.

11 So where we going from here? As I mentioned, we have  
12 almost all the data we need now. I think the last will come in  
13 September with the medical record validation. We are getting  
14 medical record validation of approximately twenty thousand  
15 people, which means you have to go to the Cleveland Clinic and  
16 the Huntington VA Hospital and the Ohio State University  
17 Hospitals and ask them to provide medical records. And they  
18 might be a little busy. And if you have three hundred requests  
19 that they might not honor them the next day. So that's been a  
20 laborious process. It's key -- it's key because we need to know  
21 as much details as we can about the disease in terms of the date  
22 of diagnosis, again critical, and to validate those self-  
23 reports.

24 So all that said, we hope to take all this data together

1 and integrate it and then determine whether people with more  
2 PFOA in their blood over time have more serious chronic diseases  
3 and report on probable links by July, 2012 at the latest. And  
4 we say report on probable links, we intend to provide data on  
5 whether or not there's a probable link for all serious chronic  
6 disease outcomes that have been discussed in the literature that  
7 is possibly linked to PFOA.

8 THE COURT: Well let me ask you something  
9 about that, why is it all on that day? Why can't it be as you  
10 determine it?

11 WITNESS: That's a fair question. I -- I --  
12 don't have an exact plan on July 11th, 2012. It could be that  
13 we get some done in May and some done in June and some done in  
14 July and we will do that if we can.

15 But as far as the number of such reports, there will be one  
16 on each of these chronic diseases that have been associated in  
17 the literature, potentially, with PFOA. And I refer to all the  
18 major chronic diseases that involve heart disease, stroke,  
19 diabetes and cancer -- and cancers not one disease its many --  
20 liver disease and kidney disease. So a lot of major disease  
21 classes there.

22 In addition, we will -- so we'll summarize the evidence for  
23 and against and we'll give a judgment that this probably linked  
24 or not, in our view. And we will also do that for any findings

1 along the way which are unexpected, which are not previously  
2 discussed in the literature or suspected, but which pop out and  
3 say yeah, there's something going on here. So I can't tell you  
4 exactly how many status -- probable link reports there will be  
5 but they'll be a bunch. And along the way, as we've been doing  
6 for the last three years, anytime we find something important  
7 that doesn't -- isn't sufficient to tell us the final answer but  
8 it's an important piece of evidence and it's sitting in our  
9 hands, we report it to the Court and that's called a Status  
10 Report. And we will continue doing that until we deliver a  
11 probable link. And we will deliver that probable link as soon  
12 as we know, as soon as we confidently can say this is what's  
13 going on.

14 That's all I got to say.

15 BY MR. JANSSEN:

16 Q. Now Dr. Steenland, let me ask you just a few  
17 questions. Of course you and the other two science panel  
18 members were selected jointly by the attorneys for the class and  
19 by the attorneys for DuPont, basically me and Larry Winter. And  
20 since that time, over the last six years, during you -- during  
21 the time that you and your companions have designed these  
22 studies have there been any influence or attempt to influence  
23 what studies, you do or the design of the studies by any of the  
24 attorneys involved here?

1           A.    No, and I want to congratulate both sides of the  
2 settlement for strictly maintaining neutrality.  And we are  
3 strictly neutral.  We don't have an agenda here.  We are hired  
4 not to have an agenda.  We still don't have an agenda.  We're  
5 not going to have an agenda.  We're going to report what we  
6 find.

7           Q.    Over the last six years since you've begun this  
8 project, have -- from time to time have you and your other  
9 science panel members had face-to-face meetings with the  
10 attorneys involved here, both sides?

11          A.    We have; we tried to continually report where we're  
12 going, how we're doing it.  There've been no surprises here.

13          Q.    Yeah.  And at that meeting -- and by the way, the  
14 meetings are always with the attorneys for both sides together,  
15 none of us -- none of the attorneys meet with you separately or  
16 privately, do they?

17          A.    No.

18          Q.    Has that ever happened?

19          A.    No.

20          Q.    During the time that we had these meetings, and often  
21 times they're telephone conference calls --

22          A.    Uh-huh.  [*Indicating yes*].

23          Q.    -- among us, do the attorneys ask questions much like  
24 the Judge has asked here?  You know, what's going on; how long

1 this is taking; why is this taking so long?

2 **A. Yes.**

3 Q. Why is it costing so much?

4 **A. Yes.**

5 Q. And you explain to us until we understand, just  
6 basically like you're doing here?

7 **A. Yes.**

8 Q. Okay. So in a sense, none of the angst or questions  
9 that the Judge has asked or expressed are anything new to you  
10 because you've been hearing it from us, correct?

11 **A. Yes.**

12 Q. Okay. But you keep telling us that taking this  
13 project on as you have, if you're going to do it by God you're  
14 going to get it right?

15 **A. Yes.**

16 Q. Okay. That's it. Thank you.

17 **CROSS EXAMINATION**

18 **BY MR. DEITZLER:**

19 Q. Dr. Steenland, on the medical record validation, we,  
20 meaning the attorneys and your group, have had some discussion  
21 of some difficulties that you all had in getting medical records  
22 and I'd like to take this opportunity to have you put on the  
23 record for the Court the importance of securing those medical  
24 records and the fact that they will be kept de-identified.

1 Because what will happen if -- if the facilities, the Cleveland  
2 Clinic or Ohio University or whoever you need the records from,  
3 if they decline to produce the records based upon a consent from  
4 the participant or a consent from the participant's executor if  
5 the participant is dead, then what we would have to do is come  
6 to the Court and present evidence as to why that information is  
7 needed, why it is essential and how it will be protected in  
8 return for which we would ask the Judge to enter an order  
9 ordering the production of those records. And then we, the  
10 attorneys, would have to take that order to the state where the  
11 facility is located, probably in the county where the facility  
12 located, and ask a judge there to give validity or enter an  
13 order in that county ordering the facility to produce the  
14 records. And I know from talking to the Cleveland Clinic  
15 attorneys that they would -- they would comply with such an  
16 order but to do that we really have to have some good factual  
17 foundation upon which the Judge can make findings that the  
18 records are one, necessary; that the production is lawful and  
19 that the identities of the persons will be protected the same as  
20 with all the other data. In other -- the -- not only is it  
21 important for this Court and this hearing for the purposes of  
22 the type of data that you're gathering, it's important to the  
23 public health of the world. And so if you could address that.  
24 And I know that was a really long question but you're a lot

1 smarter than I on those topics, so if you could lay that  
2 foundation for the Judge in the event that we need that order,  
3 then we might be able to come in and file for it later.

4       A.     Harry, I'm sure you're a lot smarter than I am on  
5 these questions, but particularly the jurisdiction of this  
6 Court, which I originally imagined Judge Beane could just tell  
7 the Cleveland Clinic what to do, which turns out to be not --  
8 not actually the case.

9       But no, we need the medical records. They're kept  
10 confidential because we guarantee to our ethics review boards at  
11 Emory University and at Brown University and at the London  
12 School of Hygiene and Tropical Medicine that we would keep them  
13 confidential and that's how it works. It's -- and we need them  
14 because, as I mentioned, we need -- we need to be able to  
15 validate what people say and look at those dates of diagnosis.  
16 And that's -- that's fairly key to what we're doing. I think  
17 we've been able to work around having to go outside of West  
18 Virginia, say, and enter into judicial proceedings to try and  
19 get these medical records in other jurisdictions. Primarily  
20 because, as you know in the case of The Cleveland Clinic, they -  
21 - they said well go back to the participants and get a new  
22 consent form, a different kind that looks better to us; and  
23 we've done that. And that's true of several other medical  
24 facilities as well.

1           And I think that that's laborious because you have to go to  
2 five hundred people and get them to sign something again. It  
3 takes months. And you don't get them all. But we've gotten most  
4 of them and I fully expect that the medical facilities that want  
5 the special forms will comply when we deliver those forms to  
6 them. So I'm thinking, I'm hoping, that this is not going to go  
7 forward as a problem in the future. I think it's been resolved.

8           Q. Well in the event that it isn't and in the event that  
9 you need those records, my recommended procedure would be that  
10 you provide a sealed list of the participants from whom you need  
11 records --

12           A. Uh-huh. [*Indicating yes*].

13           Q. -- which would go to the Court for entry of the order.  
14 But along with that, if you could explain in just a little more  
15 detail, in the event that this would come up, so that you don't  
16 have to come back and testify live at that time, just exactly  
17 what the -- what the actual necessity is other than -- than  
18 speaking in the abstract, well we need them because it's  
19 important. If you could just put a little bit more in the  
20 record and --

21           A. Sure.

22           Q. Because if you need that, that's the procedure that  
23 counsel will follow to try to get you what you need.

24           A. Appreciate it.

1 Q. So if you'd tell us a little more about why they're  
2 needed.

3 A. Oh. Well if a guy says they had diabetes in 1992 you  
4 would like to know, if we went to the medical record, if there's  
5 one, they had adult onset diabetes or juvenile diabetes and if  
6 they had it in 1992 or they had it in 1989 or whether they just  
7 -- doctor told them they had high sugar in 1992 and didn't  
8 diagnose that as diabetes exactly. So you know, you're going to  
9 get false positives there where people report diseases that they  
10 don't actually have. They may report the wrong disease. And  
11 they are quite likely to report the wrong date when they got it.  
12 And as I mentioned, it's very critical to us to know the date  
13 that these diseases occurred. So I don't know if that answers  
14 your question?

15 Q. That's a pretty good answer. And I appreciate that  
16 you could give a kind of Reader's Digest version; and I think  
17 that would be sufficient.

18 Among the diseases that you mentioned, and one of the  
19 questions that we get from a lot of people, is -- and I know  
20 it's on your list but I just wanted to tell the Judge and the  
21 people about the reduced immune function, for example, which is  
22 not listed on the list of diseases but would affect a lot of  
23 diseases. Can you assure everybody that that's being looked at?

24 A. It is. Well we -- in our interviews we will ask,

1 "Have you had an immune system disorder diagnosed?" And so  
2 again, I think Paul said a lot of these things are validated  
3 well. Well immune system diseases are that's not because it's a  
4 bit vaguer. It's a -- you can say, you know, I had brain  
5 cancer; you're pretty darn sure. But if you said I had immune  
6 disorder, you know, irritable bowels syndrome or Crohn's  
7 disease, you may not be totally on top of that. So that one's  
8 one in particular where -- where we need to -- to get that  
9 medical validation.

10 But yes, we will evaluate immune diseases and we will  
11 evaluate immune system measurements in the blood, because  
12 they're two different things. It's similar to the cholesterol  
13 and the heart disease dilemma. One's something you measure in  
14 the blood and the others a clinic disease. We will do both.

15 Q. I don't have any further questions. Thank you for  
16 your time.

17 MR. JANSSEN: Nor do I, Your Honor. Thank you.

18 THE COURT: Okay. Thank you.

19 WITNESS: You're welcome. Now my other  
20 panel members may want to chime in.

21 THE COURT: Okay.

22 DR. SAVITZ: I don't have anything to add  
23 unless there's other questions specifically.

24 MR. JANSSEN: You might want to identify

1 yourself for the Court and the folks in the room.

2 DR. SAVITZ: I'm David Savitz from Brown  
3 University.

4 MR. JANSSEN: Yes, now wait a second. That's --  
5 that's -- you're not going to get away that easy.

6 You're an epidemiologist?

7 DR. SAVITZ: That is true.

8 MR. JANSSEN: Yeah. And --

9 DR. SAVITZ: Want me to --

10 MR. JANSSEN: Why don't you --

11 *[WHEREUPON, after being administered the oath, Dr. David*  
12 *Savitz testified as follows, to-wit:]*

13 DIRECT EXAMINATION

14 BY MR. JANSSEN:

15 A. Shall I start again?

16 Q. Yeah, state your name for the record.

17 A. Okay. I'm David Savitz.

18 Q. And I'm going to lead you in these questions. You're  
19 an epidemiologist?

20 A. Yes I am.

21 Q. You are now employed at the Brown University?

22 A. That's correct.

23 Q. In what capacity?

24 A. I am Professor of Epidemiology and also jointly with

1 **the Department of Obstetrics and Gynecology.**

2 Q. Are you a medical doctor?

3 A. No I'm not.

4 Q. At the time that Mr. Winter and I first communicated  
5 with you, you were at a similar position at Duke University?

6 A. University of North Carolina.

7 Q. Ah.

8 A. That's an important distinction; let the record  
9 reflect, please.

10 THE COURT: One has a football team, the other  
11 doesn't.

12 BY MR. JANSSEN:

13 Q. All right and then you took a similar position at --  
14 in New York City?

15 A. Right, at Mount Sinai School of Medicine.

16 Q. That's right. And you've been a member of the science  
17 panel from the -- from the beginning?

18 A. That's correct.

19 Q. And as I appreciate it, the, or a -- one of the main  
20 focuses of your inquiry has been to look at reproductive and  
21 birth issues?

22 A. That's right, reproductive and child development  
23 issues.

24 Q. That's right. And you may be able to come to your

1 findings, your probable link findings, one way or another before  
2 July of 2012?

3 A. That's right, we're anticipating that sometime -- as I  
4 said, I wish I could be more precise with it -- but sometime  
5 this fall that we should be able to pull together all the  
6 relevant information and that would be a sufficient volume and  
7 quality of information to make a determination.

8 Q. And the -- the -- the point that Dr. Steenland was  
9 making about the necessity of determining whether the disease  
10 came before the exposure has lesser application when you're  
11 looking at what you're looking at; is that correct?

12 A. Well that's not entirely true in the sense that we  
13 were also dependent on the estimates of exposure, not just the  
14 estimate of course, the -- you know, the measurement in 2005 or  
15 2006, but we're looking at pregnancies that may extend back into  
16 the 1970s or 1980s. And so we needed these historical estimates  
17 to have an idea of what the exposure levels were at the time a  
18 given woman was pregnant; that's both for the participants in  
19 the C-8 Health Project but also then the community residents  
20 more generally.

21 Q. Okay. And I appreciated that, I just wanted to  
22 clarify that. So the fact that you're able to come up with your  
23 findings this fall, perhaps as much as a half a year or more  
24 before the others, isn't a matter of simply you were the most

1 diligent of the team?

2           A.    No, that -- that I cannot possibly claim.  I mean the  
3 distinction is that for the adult -- just broadly speaking --  
4 chronic diseases, everything from immune function,  
5 cardiovascular disease, cancer and so on are all dependent on  
6 the follow-up -- the following forward from the -- the folks  
7 involved in the C-8 Health Project, that follow-up that Kyle  
8 Steenland is leading and those are all, in a sense, dependent on  
9 the same data resource.  For the reproductive health measures  
10 and the child development measures we are not dependent on that  
11 component.

12                   MR. JANSSEN:    That's all I have, Your Honor.  
13 Thank you.

14                                    CROSS EXAMINATION

15 BY MR. DEITZLER:

16           Q.    Thank you for taking your time to come down today, and  
17 I want to thank you on behalf of the many people of the  
18 community that are dependent on your results.  And I hope that  
19 you understand the frustration level with them and the fact that  
20 the case, from their perspective, has gone on and on and on and  
21 they feel like they should have had a jury verdict six years  
22 ago.  So I hope you all understand the concern about that.

23           A.    And I -- and I am sympathetic.  It's the -- it is --  
24 you know, each step of this obviously had its timeline

1 associated and it's certainly not uncommon in doing epidemiology  
2 to -- it's very hard to appreciate from the outside just what is  
3 involved and these aspects of it that truly are not under our  
4 direct control, that we can wake up in the morning and -- I do  
5 wake up in the morning and say what can I do today to move this  
6 along? And it is -- in doing the things I can do. And there are  
7 issues of just the time to -- to organize the data; the time to  
8 get medical record information and so on that truly is not, you  
9 -- you know, subject to just the willful decision of the  
10 investigators. There's a process, because we're doing research  
11 in the real world, it's -- it's some of the real-world processes  
12 that we simply can -- have to allow for.

13 Q. Are there any specifics that you can add to what Dr.  
14 Steenland already provided as to -- to the -- why it takes so  
15 long? And the other thing you might clarify, even though you  
16 didn't get the final data until 2008, you all had been running  
17 data and pretty much knew what direction things were going from  
18 the outset, didn't you?

19 A. Well we had -- you're right, as Dr. Brooks said, we  
20 were getting partial data. But ultimately until we had the  
21 clean data -- and I don't mean to imply it was dirty before but  
22 it is -- there's a lot of reconciliation and correction to be  
23 done -- we really couldn't begin the analysis. We could plan  
24 the analysis; we could think it through; we could anticipate,

1 but until that final data set arrives and it is -- even by the  
2 standards of epidemiology -- this is a massive data set. This  
3 is quite unusual in its scale that the problems or challenges,  
4 if you will, are magnified. That the management of the data,  
5 understandably, took some time to get to that point. And there  
6 are many parts, if you will, of -- the clock didn't start  
7 running until that point, even knowing it was coming, there's a  
8 number of things in the time sequence that couldn't be done  
9 until that data arrived.

10 Q. I guess what I was asking you to assure the public of  
11 was that even though you didn't have the 2005 data you knew what  
12 additional data you had to draw prospectively and you were  
13 already in the process of drawing that data so that the delay  
14 until 2008 didn't delay your drawing of the other data that you  
15 needed to follow-up?

16 A. That's correct, the planning; the acquisition of some  
17 of the data sources; the development of the background  
18 information for exposure, there was a lot going on, but some  
19 things that could only go forward from that point, that's  
20 correct.

21 Q. Thank you.

22 THE COURT: Anything else?

23 MR. DEITZLER: No further questions. I'm sorry.

24 BY THE COURT:

1 Q. I guess -- I'm just trying to understand what each of  
2 you do. I know you leave here and you go back to your  
3 university; do you work for the university doing other things or  
4 are you exclusively dealing with your obligations under this  
5 project?

6 A. We all have a mix of responsibilities, other projects  
7 that might be funded by the National Institutes of Health or  
8 other -- other entities. And in each case we are -- I mean  
9 there's some similarities, we are -- ultimately as principal  
10 investigators we are the ones responsible for making the work go  
11 forward, having it be done correctly. Having said that, and  
12 again the -- obviously the invoices will reflect it; there are  
13 many other people involved. There are academic collaborators  
14 who have some expertise that we don't have but is important to  
15 the project. There are -- there are field staff that go out and  
16 actually hands-on gather the data. People that, you know,  
17 handle the data files and so on. And so we are managing a  
18 serious of projects as well as teaching graduate students and so  
19 on. And so that there's a -- we try to estimate a certain  
20 proportion of our time that is needed to keep this project going  
21 as well as it can go. And again, I can see from the outside it  
22 might seem gee, if we just devoted all of our time it would go  
23 much, much faster. But in -- in the kinds of allocations we  
24 make, whether it's twenty percent of our time or thirty percent

1 -- and again the invoices would reflect that -- that's the  
2 amount that we judged would let us efficiently do the things we  
3 need to do to keep it going forward, hiring and supervising and  
4 sometimes just pushing along. And so we are managing multiple  
5 projects that way at any given time.

6 Q. And have you or the other two members looked to get  
7 any other grants or anything as it relates to C-8?

8 A. We have not, so far, moved in that direction but we  
9 are hoping to. That, as was mentioned -- there's an immediate  
10 issue here, of course, of reaching these probable link  
11 determinations and we're not let anything distract us from that.  
12 But there are also important issues that Dr. Ducatman raised as  
13 well about the health of this population generally. We  
14 recognize that this probable link assessment and our  
15 understanding and interpretation of it is a fairly, if you will,  
16 modest level of certainty as science goes. It's not causality;  
17 it's not, you know, beyond a reasonable doubt; it's -- we  
18 understand the language, at least as we're interpreting it.  
19 There will still be many questions when we are done about the  
20 health effects of C-8 and other perfluorocarbon chemicals. It's  
21 not that we have any illusion that we're going to end that or  
22 that that avenue of research will end and we would individually  
23 and, you know, to the extent it works that way, collectively be  
24 interested in pursuing some of those important scientific

1 issues. And I would hope that this population and this  
2 community, if willing, could help in going beyond that even,  
3 beyond the -- the -- the immediate bounds of focusing on the  
4 probable link determinations, but to really try to address  
5 important public health issues more -- more universally, if you  
6 will.

7 Q. And I guess it's just my concern and I think you've at  
8 least addressed some of it, that they're obstacles that you've  
9 faced that caused delay, but I just want to make it clear that  
10 the delay is not caused by lack of time on your part or that  
11 you're interested in other matters beyond other issues as it  
12 relates to C-8 other than looking to see whether there's a  
13 probable link or no probable link.

14 A. That -- again, I can certainly understand that and  
15 that's why I say that I think they're many -- maybe it's sort of  
16 an usual job in that way -- where you look at it and if we're  
17 spending twenty percent and it's taking us this long well why  
18 not spend fifty percent and, you know, cut the time in half.

19 Q. And I understand that that's not the case --

20 A. Right.

21 Q. -- but I just want to be clear that --

22 A. Yes.

23 Q. -- you all aren't looking at other issues as it  
24 relates to C-8. I mean I think your main focus is to determine

1 whether or not there is a probable link or there is not a  
2 probable link --

3 A. That's right.

4 Q. -- as it relates to these various diseases that you've  
5 outlined, and additionally the other areas.

6 A. Well, and to be specific, one of the judgments we have  
7 to make, and I spoke with the settling parties about this -- we  
8 talked about it a little bit over lunch -- the judgment of when  
9 do we have enough to make a probable link determination, which  
10 is something that obviously there may be varying opinions on.  
11 But it's something that as the science panel we have asked  
12 ourselves the question. Okay, with the completion of this  
13 study, is it now time? Do we have a sufficient level of  
14 confidence to be able to draw a conclusion here? And it's  
15 something that we are collectively and individually trying to  
16 make sure that we don't let -- again, if you will, interesting  
17 scientific questions, important public health questions even,  
18 tempt us away or distract us from the question of do we have  
19 enough under the terms that have been laid out for us -- as we  
20 understand them -- do we have enough to make this determination.  
21 And we are, again, in good faith doing our best to make sure  
22 that we don't persist longer than is needed to make that  
23 assessment. It's a fair question and I completely understand;  
24 and it is something that we do think about.

1 Q. Okay.

2 THE COURT: Any other follow-up?

3 MR. DEITZLER: I'm beginning to feel like Colombo  
4 here, for those that are old enough to remember Colombo.

5 RE-CROSS EXAMINATION

6 BY MR. DEITZLER:

7 Q. You brought up one question that a lot of the people  
8 that have called me have asked about. When you get done  
9 gathering the data on your follow-up, will that be placed in the  
10 public domain with the Court the same as the Brookmar data so  
11 that anybody else that wants to do follow-up studies will be  
12 able to, if properly qualified, do follow-up studies as well?

13 A. It's something, again, I don't know if Kyle wants to  
14 speak to that but we certainly will fulfill that obligation  
15 subject to these issues from the institutional review boards and  
16 those who have provided the data. The -- managing the issue of  
17 the de-identification and confidentiality to meet the standards  
18 that -- I guess federal standards that are applicable to that.  
19 And so subject to the confidentiality concerns being managed  
20 properly, yes we, of course, will comply with that part of the  
21 agreement.

22 MR. JANSSEN: Are you finished?

23 MR. DEITZLER: Yes, sir.

24 RE-DIRECT EXAMINATION

1 BY MR. JANSSEN:

2 Q. You're going to -- you all collectively or  
3 individually -- intend to submit to scientific journals your  
4 analysis and findings and the supporting data at the conclusion  
5 of your work, do you not?

6 A. Well again, just to clarify, we will -- we will -- we  
7 have been and we will continue to generate scientific reports.  
8 There's not a plan, at least right now, specifically. The  
9 probable link determinations per se are a matter for Court. I  
10 don't -- again, there may be ways down the road that somehow  
11 that makes its way in but we're sort of separating out the peer-  
12 reviewed scientific reports from the probable link  
13 determinations.

14 Q. That was the -- that was the distinction that I was  
15 trying to make.

16 A. Okay.

17 Q. Okay.

18 MR. JANSSEN: Now you're ready to move on, Your  
19 Honor?

20 THE COURT: Yes.

21 MR. JANSSEN: If you would go back to the jury  
22 box and there's something poetic about you being in a jury box.  
23 Dr. Fletcher would you take the stand?

24 *[WHEREUPON, after being administered the oath, Dr. Tony*

1 *Fletcher testified as follows, to-wit:]*

2 **DIRECT EXAMINATION**

3 **BY MR. JANSSEN:**

4 Q. You came all the way from London for this, did you  
5 not?

6 A. Yes I did.

7 Q. State your full name -- we're going to let you talk.

8 A. Okay. My name is Dr. Tony Fletcher from the London  
9 School of Hygiene and Tropical Medicine. I'm an epidemiologist  
10 there.

11 Q. Are you a medical doctor as well?

12 A. I'm not a medical doctor, no.

13 Q. You've been a part of the science panel from the get-  
14 go, correct?

15 A. Correct.

16 Q. All right. Now you've heard -- you've been sitting  
17 here and listening to Dr. Steenland and now Dr. Savitz so you  
18 know what they said, and is there anything in addition to what  
19 they said that you think would be useful to bring to the Court's  
20 and these folk's attention?

21 A. I'd like to just amplify one aspect of it which is  
22 that we're both a set of individuals and a team. We're a set of  
23 individuals in that the eleven component studies that -- that  
24 Dr. Steenland enumerated, have been subdivided for practical

1 reasons so the job gets done. We have sole responsibility, one  
2 for each of those activities. And -- although we keep an eye on  
3 each other. And then we also work as a team. The objective of  
4 making a decision about a probable link for one or more diseases  
5 is a team effort on the -- that involves us working together to  
6 synthesize and interpret that data.

7       So I thought maybe to give a specific example, one of the  
8 categories of disease on the list is thyroid disease. Now  
9 information is being collected on diagnosed thyroid disease.  
10 The medical validation will provide confirmation that the  
11 diagnostic category and the date given for the diagnosis is  
12 correct and that can be linked to the incidents of disease as  
13 reported and diagnosed and validated can be linked to potential  
14 patents with previous C-8 exposure.

15       There's also the large data set collected by -- by Brookmar  
16 that we're in the process of re-analyzing to see whether or not  
17 there are cross-sectional associations between elevation --  
18 shifts in the thyroid hormones or the proportion of people with  
19 -- with subclinical thyroid disease that you can only really  
20 pick-up from looking at the clinical markers.

21       We're also re-testing a sample of the population to see  
22 whether or not there's a time trend; whether or not there's a  
23 longitudinal association. Now we're not doing -- we're not  
24 doing that for kind of the luxury of scientific inquiry. That

1 is part of the evidence that we need to accumulate to judge the  
2 plausibility and therefore the degree to which we would assign  
3 the probable link to an association. Particularly weak  
4 associations with disease, you rely more on the supplementary  
5 information about the plausibility of the mechanisms that we get  
6 from -- from looking at the clinical markers.

7 So -- so we're in -- different members of us are leading on  
8 different components of that information but we then bring it  
9 all together and -- and we had to wait -- in that example we  
10 have to wait until the data that takes the longest to collect,  
11 which is the -- the cohort data -- is ready.

12 Q. And just in case folks are interested and they  
13 probably are, what particular studies or aspects of this have  
14 you taken on?

15 A. I've been involved in three studies of those -- those  
16 eleven. One is a section of outcomes that we're looking at in  
17 relation -- in the large data set, the C-8 Health Project data  
18 set, focusing in particular on immune markers, thyroid disease,  
19 liver disease -- sorry, liver function, kidney function, immune  
20 function. And the geographical cancer study looking at  
21 geographical presence of cancer in relation to -- to -- to  
22 patents of C-8 in the community. And the third is this -- this  
23 repeated markers, calling in the eight hundred people to get --  
24 to get repeated measures, focusing in particular on shifts in

1 **immune function.**

2 **MR. JANSSEN:** That's all the questions I have,  
3 Your Honor.

4 I would like to point out something for the Court, if I  
5 may, because it's often lost in the -- in the shuffle. When you  
6 were reviewing the agreement -- the Settlement Agreement as I  
7 know you did. You told us you did. You saw a provision in here  
8 about -- something about three years and the anticipated time it  
9 would take and that was one of the bases for the settlement. If  
10 -- if -- if -- this fact sometimes gets lost, this Settlement  
11 Agreement, when it was negotiated and executed by the parties,  
12 did not contemplate the -- the Brookmar data. It didn't --  
13 Brookmar was not part of any discussion. What the Settlement  
14 Agreement provided was that DuPont would pay, among other  
15 things, seventy million dollars to the class. You know, for  
16 class purposes as described here. The counsel for the  
17 Plaintiffs decided, and I'm not arguing one way or another about  
18 that, but decided that one of the main purposes of the  
19 Settlement Agreement would be to collect this broad data that  
20 Brookmar did so that what would happen is that this Settlement  
21 Agreement contemplated one thing in terms of studies and then  
22 all of a sudden we -- we are faced with -- and the scientists  
23 are faced with -- the world's largest collection of data so far  
24 as we know -- as you heard Mr. [sic] Brookmar say. And he

1 described the logistic issues with that, but they were similar  
2 issues for the -- for the science panel as epidemiologists.

3           **THE COURT:**           And I guess my frustration was,  
4 and I heard what Dr. Brooks said on behalf of Brookmar --

5           **MR. JANSSEN:**       Yeah.

6           **THE COURT:**       -- but I get the sense that -- I  
7 mean he was able to, through his company, accomplish a lot and  
8 do very well in a short amount of time. And I'd almost -- you  
9 know, I just hate to say this, but I would think if it was  
10 turned over to anybody else they might still be working on the  
11 questionnaire. And so I guess that's my frustration. I feel  
12 like, you know, I needed to hear what was happening and -- and,  
13 you know, have an understanding. I think we need to set these  
14 more often. And I would encourage, because what they've been  
15 able to share just in this short amount of time, that we have  
16 another hearing and -- and I don't plan to participate but if  
17 you could do something to allow the public to come forward and  
18 ask the questions because I think that's what gets lost. You  
19 know, I'm hearing that people are tired of hearing about C-8 now  
20 because they just feel like nothing is being done. And I  
21 understand that frustration. And in my looking at this, you  
22 know, I question it and that -- that was why I wanted to share  
23 that with all of counsel and the science panel. And I think  
24 that's what the community wants to know because obviously, you

1 know, the members here that are in this courtroom care and want  
2 answers. And they've been able to understand at least part of  
3 what's going on. But I would suggest that -- that between you  
4 two and the science panel members that you set something else up  
5 here to allow the public to come forward and ask questions in a  
6 forum that can be administered in some way so that -- that you  
7 all aren't fielding all these calls. And I appreciate knowing  
8 that some of this information has been available online.

9 But I also would like information from the Garden City as  
10 are the expenditures. That's another separate aspect that --  
11 that I would like to be able to see and know what's going on as  
12 well.

13 **MR. JANSSEN:** Well Your Honor, thank you for  
14 calling this. I think it's been very, very useful and I think -  
15 - I think it's absolutely critical for all the parties and for  
16 the Court not to -- to do -- do anything without really hearing  
17 what they have to say that would in anyway immune the -- or  
18 cause -- raise doubts about the integrity of the science panel  
19 members, or the process or their work that they're doing.  
20 Because the whole reason for doing this is to get the right  
21 answer for these people. You know, and -- and if these people  
22 somehow lose confidence in it, they think it's not being done  
23 right, then -- then that doesn't -- that just doesn't serve any  
24 of us. So I didn't want to preach but I feel strongly about

1 this issue. We've collectively put a lot of our professional  
2 lives into trying to do this right.

3 MR. DEITZLER: I had a question for Dr. Fletcher.

4 CROSS EXAMINATION

5 BY MR. DEITZLER:

6 Q. On the determination of the plausibility of the  
7 mechanisms, your probable link determination does not require  
8 any peer review or publication first, does it?

9 A. Not formally, but I would advocate that where we --  
10 where interim findings are coming out, that a part of the  
11 evidence on which we would base the probable link and can be  
12 made public as we do in a status reports as soon as -- as soon  
13 as we know that. When they can be submitted for peer review, I  
14 think it's a form of quality assurance that can instill extra  
15 confidence in the validity of those results.

16 Q. I understand in the scientific community you have peer  
17 review of causation, but is there such a thing as peer review of  
18 probable link?

19 A. No, I mean that -- the language of probable link I've  
20 never met before and I haven't seen in any other context than in  
21 these kinds of court cases.

22 Q. So you will not be waiting for any peer review or  
23 publication before determining -- making your probable link  
24 determination?

1           A.    Correct, no I wasn't -- when I was talking about peer  
2 review I was referring to specific statistical findings,  
3 specific results --

4           Q.    Okay.

5           A.    -- which would be part of the evidence on which we  
6 based the probable link. But as I think we're -- we're -- we're  
7 clear in order to meet the deadline that we've announced. But  
8 we will, as soon as we have sufficient information to be able to  
9 make a probable link, we will do so and you'll be the first to  
10 know.

11          Q.    Okay. Well thank you for making that clarification.  
12 So basically when you're talking peer review you're talking peer  
13 review of the statistical analysis and not peer review of the  
14 probable link?

15          A.    Yeah, the specific papers like those that have been  
16 already referred to, yes.

17          Q.    But the -- but the probable link findings will not be  
18 held up based on that and then --

19          A.    No.

20          Q.    We're getting these questions about, you know, why --  
21 why is it being held up and that's the only reason I was asking  
22 that.

23          A.    No, it's not -- I'd like to emphasize that our work  
24 program is not and will not be held up by the peer review

1 process because that can take six months, nine months to -- to -  
2 - to get a paper approved for publication as you can tell from  
3 the time difference between the Status Report that you see and a  
4 subsequent paper appearing. And I can imagine you could be  
5 angry if you had to wait a year in between us knowing and you  
6 knowing. And we will not be doing that, no.

7 Q. Have you ever done an epidemiological study before  
8 where there was a large population and the entire population was  
9 sampled?

10 A. This is unique and it's no secret that the four  
11 hundred dollar incentive is -- is ten times or more higher than  
12 the normal incentive payment offered to participants in any kind  
13 of community study. And that, I guess, was part of the very  
14 high take-up. But it's -- it's extraordinary. I mean it was  
15 ninety percent or more. And a common response rate in a  
16 community study is twenty or thirty. So no, this -- this  
17 provides a very high quality set of information because of that  
18 high participation rate.

19 Q. So in your -- your career as an epidemiologist,  
20 generally speaking, you don't get to study the whole population  
21 like this, you only get a sample of the population which then is  
22 limited by the statistical validity of the samples?

23 A. Well there's two issues with taking a sample. One is  
24 that the number is smaller so there's a bit more uncertainty

1 which is -- which is one form of limitation. But the other is -  
2 - is -- is that it's -- there is a -- there is a -- a refusal  
3 rate which means that the sample won't necessarily be  
4 representative. And here you've actually solved both of those  
5 problems by having the whole population so that the size and  
6 therefore the statistical precision is very low but also the  
7 bias is very low because you have such a high participation  
8 rate.

9 Q. So what Larry Janssen has pointed out that you've got  
10 a lot more data than we anticipated, that's a positive instead  
11 of a negative?

12 A. Without a doubt; yes I agree.

13 Q. Thank you.

14 THE COURT: Nothing else?

15 MR. JANSSEN: Nothing further, Your Honor.

16 THE COURT: Okay. Thank you.

17 WITNESS: Thank you.

18 THE COURT: Counsel have anything else?

19 MR. DEITZLER: No Your Honor, thank you for  
20 asking us to come and provide a status report. I think it's  
21 been very helpful to us as we field calls from not only our  
22 eight hundred to a thousand clients that are registered as  
23 clients but we get calls from all members of the class, which  
24 has been reported is estimated to be sixty to eight thousand

1 people. We know there are sixty-nine thousand nine hundred  
2 some, or whatever the number was, actual people and they're  
3 calling and asking us what is going on. And -- and I just -- I  
4 appreciate the Court having all of us appear before the Court  
5 and report the status. I think it was important for them to  
6 know what -- what the Brookmar people did with regard to -- to  
7 their work with the money that was allocated. I think it's  
8 important that they know that DuPont went far above their  
9 initial estimate of what it would cost to do the water  
10 filtering. And -- and really DuPont has gone far above what the  
11 estimates were for the science panel. I think the initial  
12 estimate was that the science panel would cost about five  
13 million dollars I think their -- their financial report, within  
14 the corporation, which is over thirty million dollars, so --

15 **MR. JANSSEN:** It's more than five million. But  
16 you'll -- you'll -- you'll see the exact amount when we get the  
17 data from Garden City.

18 **MR. DEITZLER:** Did you want to give a timeline  
19 for that? I assume Garden City can put it out pretty quick?  
20 Should we just jointly file it?

21 **MR. JANSSEN:** I think what we do, Mr. Deitzler,  
22 is we'll do it the way we customarily do it. One of us -- we  
23 will take the burden of doing that. We'll communicate with  
24 Garden City, copy to you, making the request. And we'll hear

1 what they have to say? And I assume that they'll say here it  
2 is.

3 **MR. DEITZLER:** I always appreciate it when the  
4 hourly attorneys take the lead.

5 **THE COURT:** And if there's a problem with --  
6 with them furnishing it then we'll invite them here. But  
7 hopefully it will be forthcoming.

8 **MR. JANSSEN:** I don't anticipate a problem.

9 **THE COURT:** Okay.

10 **MR. JANSSEN:** It's -- there's -- there's no --  
11 there's no secret about it. It's just that I didn't think that  
12 it would be something that would be of interest to the Court.  
13 But I understand what you're asking and why.

14 **THE COURT:** Okay. Thank you. Thank all of  
15 counsel.

16 **MR. JANSSEN:** Okay.

17 **THE COURT:** All right. We're adjourned.

18 Thank you all.

19 ----oOo----

20

21

22

23

24

1 STATE OF WEST VIRGINIA,

2 COUNTY OF WOOD, to-wit:

3 I, Stacy Harlow, Official Court Reporter, Certified Court  
4 Reporter, and Notary Public in and for the State of West  
5 Virginia, hereby certify that the foregoing is a true and  
6 accurate transcript of the proceedings reported by me, and  
7 herein translated into the English language.

8 I certify further that I am neither counsel to nor attorney  
9 for any of the parties herein and have no pecuniary interest in  
10 the outcome of the same.

11 I certify further that the transcript within meets the  
12 requirements of the Code of the State of West Virginia 51-7-4,  
13 and all rules pertaining thereto as promulgated by the Supreme  
14 Court of Appeals.

15 When spellings are in question, the words are spelled  
16 phonetically and marked with an asterisk (\*).

17 IN WITNESS THEREOF, I hereunto set my hand and affix my  
18 seal of Office at Parkersburg, West Virginia, on the 6th day of  
19 June, 2011.

20 

21 STACY HARLOW

22 Official Court Reporter

23 Notary Public

24 My Commission Expires: August 25, 2019.



<p style="text-align: center;"><b>0</b></p> <p>01-c [1] 5:5                  01-c-608 [1] 5:8</p> <hr/> <p style="text-align: center;"><b>1</b></p> <p>1 [1] 52:6                  1.49 [1] 46:13                  11th [2] 23:13 69:12                  12 [1] 6:18                  12th [2] 23:13 30:24                  13th [1] 31:12                  14th [1] 23:14                  15th [1] 23:15                  18 [1] 5:3                  1950 [2] 12:12 25:11 59:21                  1970s [1] 80:16                  1980s [1] 80:16                  1989 [1] 76:6                  1990 [1] 60:11                  1992 [4] 59:2 76:3,6,7</p> <hr/> <p style="text-align: center;"><b>2</b></p> <p>2001 [1] 5:21                  2002 [1] 6:13                  2003 [2] 6:14,17                  2004 [7] 6:18,20,21 11:8,11,13 12:13                  2005 [15] 6:23 9:2,2 10:23 32:15                  58:20 59:18 61:16 62:16 64:6,14,                  19 65:14 80:14 83:11                  2006 [12] 9:2 11:9 30:4 31:16,18,                  18,21,22 32:10 33:8 58:20 80:15                  2007 [1] 33:8                  2008 [2] 53:10 62:2 63:21,21 82:16                  83:14                  2009 [1] 64:3                  2010 [1] 64:3                  2011 [4] 5:3 53:11 62:2 102:19                  2012 [4] 66:13 69:3,12 80:2                  2019 [1] 102:24                  20th [1] 31:18                  22 [1] 6:20                  23 [1] 6:21                  23rd [2] 31:10 32:8                  24th [1] 11:22                  25 [1] 102:24                  25th [4] 30:24 31:1,13,19                  26th [1] 31:3                  27th [1] 31:8                  28 [1] 6:23                  28th [1] 14:21</p> <hr/> <p style="text-align: center;"><b>3</b></p> <p>3 [1] 25:11                  30 [1] 5:21                  30th [1] 31:22                  3m's [1] 60:14                  3rd [2] 12:13 102:18</p> <hr/> <p style="text-align: center;"><b>5</b></p> <p>5 [1] 6:5                  51-7-4 [1] 102:12</p>	<p style="text-align: center;"><b>6</b></p> <p>608 [1] 5:6                  61 [1] 17:15</p> <hr/> <p style="text-align: center;"><b>7</b></p> <p>7th [1] 32:1</p> <hr/> <p style="text-align: center;"><b>8</b></p> <p>8th [5] 30:4,8 31:2 63:23 64:1</p> <hr/> <p style="text-align: center;"><b>9</b></p> <p>9th [1] 6:17</p> <hr/> <p style="text-align: center;">"</p> <p>"have [1] 77:1</p> <hr/> <p style="text-align: center;"><b>A</b></p> <p>abbreviation [1] 30:14                  ability [1] 6:7                  able [30] 14:11,15 15:6,13 17:2 18:                  19 26:24,24 29:13,19 32:8,23 35:                  18,23 36:7 56:5 66:21 74:3,14,17                  79:24 80:5,22 87:14 88:12 94:7,                  15 95:2,11 97:8                  above [2] 43:22 100:8,10                  absolutely [5] 44:12 45:21,22 52:                  15 95:15                  abstract [1] 75:18                  academic [1] 84:13                  accept [1] 47:2                  access [4] 9:5 18:10 24:13 49:1                  accessed [1] 33:14                  accessible [2] 18:8 55:13                  accident [1] 29:1                  accomplish [2] 13:19 94:7                  accomplished [1] 13:11                  according [1] 51:16                  account [2] 27:15 50:12 65:8                  accountable [1] 41:3                  accounting [2] 15:13 50:19                  accumulate [1] 92:1                  accumulation [1] 52:22                  accuracy [4] 13:12 16:11 36:8 60:                  3                  accurate [2] 12:17 102:6                  accurately [3] 20:15 36:10 58:8                  acid [11] 5:16 29:15 43:8 45:13,16,                  19 46:20,21,23 65:16,21                  acquisition [1] 83:16                  action [1] 5:5                  activities [1] 91:2                  activity [1] 47:16                  actual [7] 12:24 17:12 26:13 40:11                  42:6 75:17 100:2                  actually [7] 25:9 34:6 47:13 74:8                  76:10 84:16 99:4                  acute [1] 22:5                  add [4] 27:5 63:2 77:22 82:13                  added [2] 15:18 29:9                  addison's [1] 28:23                  addition [6] 49:1 53:18,23 62:19                  69:22 90:18                  additional [5] 38:19 48:8 50:24 52:</p>	<p>18 83:12                  additionally [1] 87:5                  address [5] 29:24 53:16 63:19 73:                  23 86:4                  addressed [1] 86:8                  adequate [2] 57:23 60:15                  adjourned [1] 101:17                  adjusted [1] 18:2                  adjustments [2] 28:13 30:20                  administered [7] 10:11 38:9 50:1                  57:4 78:11 89:24 95:6                  administrative [1] 14:7                  administrator [3] 9:13 11:14 50:                  10                  ads [1] 30:11                  adult [2] 76:5 81:3                  adults [2] 41:24 62:18                  advance [1] 57:15                  advice [2] 28:4 29:17                  advise [2] 40:1,1                  advocate [1] 96:9                  affairs [1] 39:14                  affect [1] 76:22                  affected [2] 5:18 8:6                  affects [1] 5:13                  affiliate [1] 44:21                  affirmative [1] 57:18                  affix [1] 102:17                  afternoon [1] 5:7                  afterwards [1] 58:18                  age [4] 41:12 42:1 43:13 67:10                  agenda [4] 71:3,4,4,5                  ages [1] 42:22                  ago [5] 5:21 6:2,24 46:6 81:22                  agree [3] 51:23,24 99:12                  agreed [2] 7:3 13:8                  agreement [16] 6:3,6,19 7:1 11:18                  13:4 27:9 46:13 53:23 88:21 93:6,                  6,11,14,19,21                  agreements [1] 11:24                  ah [1] 79:7                  ahead [3] 9:21 15:7 24:22                  air [1] 59:19                  al [3] 5:4,5,8                  alan [3] 3:6 9:10 64:4                  alcohol [1] 42:3                  alert [5] 21:12,13,15,17 22:11                  all-inclusive [1] 24:7                  allen [1] 38:15                  allocated [1] 100:7                  allocations [1] 84:23                  allow [6] 13:9,15 20:1 82:12 94:17                  95:5                  almost [5] 5:21 22:17 58:8 68:12                  94:8                  already [12] 40:6 41:9,21,23 45:6                  47:1 48:6 52:13 54:11 82:14 83:                  13 97:16                  als [1] 28:23                  although [7] 6:16 16:14 29:13,21                  35:5 51:3 91:2                  among [5] 46:17 51:13 71:23 76:</p>	<p>18 93:14                  amount [10] 14:19 50:24 51:22 53:                  19 54:3 55:19 85:2 94:8,15 100:                  16                  amplify [1] 90:21                  analysis [8] 40:11 41:17 42:5,6 82:                  23,24 89:4 97:13                  analyze [4] 40:15,21 41:20 65:10                  analyziation [1] 40:16                  analyzing [2] 62:4 65:19                  andrew [1] 8:7                  anemia [1] 28:24                  angry [1] 98:5                  angst [1] 72:8                  announced [2] 30:8 97:7                  another [8] 6:9 23:18 29:6 32:9 80:                  1 93:17 94:16 95:10                  answer [27] 20:9,15,17 23:8,8 47:                  19 57:19,22,23,24 61:11,18 63:16                  64:23 65:4 66:8,9,13,14,14,17,22                  67:6,14 70:7 76:15 95:21                  answered [2] 61:3,3                  answering [1] 57:22                  answers [4] 53:14 56:10 76:13 95:                  2                  anticipate [2] 82:24 101:8                  anticipated [3] 21:12 93:8 99:10                  anticipating [1] 80:3                  anybody [4] 33:16 48:12 88:11 94:                  10                  anytime [1] 70:6                  anyway [2] 29:12 95:17                  apiece [2] 26:6 51:14                  appalachian [1] 48:21                  apparently [1] 34:13                  appeals [2] 6:10 102:14                  appear [1] 100:4                  appeared [1] 6:20                  appearing [1] 98:4                  appendix [1] 42:14                  applicable [1] 88:18                  application [1] 80:10                  appointing [1] 37:10                  appointment [4] 18:17,19 19:15,                  17                  appointments [2] 18:12 31:11                  appreciate [7] 75:24 76:15 79:19                  82:2 95:7 100:4 101:3                  appreciated [1] 80:21                  approach [2] 16:8 65:11                  appropriate [1] 52:24                  approval [4] 6:22,23 10:24 11:23                  approved [3] 14:19,20 98:2                  approximate [1] 40:24                  approximately [1] 68:14                  april [3] 28:1 62:1 63:21                  area [8] 11:23 17:18 22:16,19,21                  23:15 26:19 48:21                  areas [3] 32:19 67:18 87:5                  aren't [3] 48:5 86:23 95:7                  arguing [1] 93:17                  arnold [1] 15:19</p>
---	---	--	--

<p><b>around</b> [10] 11:22 14:8 16:12 22:10 25:4 29:23 31:6 42:20 52:16 74:17</p> <p><b>arrhythmias</b> [1] 22:3</p> <p><b>arrived</b> [1] 83:9</p> <p><b>arrives</b> [1] 83:1</p> <p><b>art</b> [2] 11:14 15:16</p> <p><b>arteries</b> [1] 29:5</p> <p><b>arthritis</b> [3] 29:6,10,16</p> <p><b>aside</b> [1] 47:15</p> <p><b>aspect</b> [2] 90:21 95:10</p> <p><b>aspects</b> [3] 39:12 82:3 92:13</p> <p><b>assemble</b> [1] 15:8</p> <p><b>assessment</b> [3] 49:10 85:14 87:23</p> <p><b>assign</b> [1] 92:2</p> <p><b>assimilating</b> [1] 32:20</p> <p><b>associated</b> [5] 45:17 46:8 64:6 69:16 82:1</p> <p><b>association</b> [8] 7:23,24 45:20 46:3,20 64:2 91:23 92:3</p> <p><b>associations</b> [7] 47:9,11,22,24 48:2 91:17 92:4</p> <p><b>assume</b> [3] 55:3 100:19 101:1</p> <p><b>assuming</b> [1] 47:2</p> <p><b>assurance</b> [6] 40:8,12 41:4,16 44:16 96:14</p> <p><b>assure</b> [3] 27:6 76:23 83:10</p> <p><b>asterisk</b> [1] 102:16</p> <p><b>astorg</b> [7] 3:11 9:13 15:21 49:24 50:2,7 52:9</p> <p><b>atlanta</b> [1] 33:2</p> <p><b>attached</b> [1] 16:2</p> <p><b>attempt</b> [1] 70:22</p> <p><b>attention</b> [1] 90:20</p> <p><b>attorney</b> [2] 15:21 102:8</p> <p><b>attorneys</b> [15] 11:16,16 17:1 57:2 70:18,19,24 71:10,14,15,23 72:20 73:10,15 101:4</p> <p><b>attributed</b> [1] 5:23</p> <p><b>audit</b> [1] 51:3</p> <p><b>audited</b> [2] 50:19 51:4</p> <p><b>audits</b> [1] 50:21</p> <p><b>august</b> [4] 5:21 30:24 31:1 102:24</p> <p><b>automatically</b> [2] 20:4 27:12</p> <p><b>available</b> [12] 7:19 8:18 19:9 27:22 42:13 46:15 48:11 54:5,7,13 55:15 95:8</p> <p><b>avenue</b> [1] 85:22</p> <p><b>average</b> [2] 17:8 31:13</p> <p><b>aware</b> [1] 53:17</p> <p><b>away</b> [3] 28:8 78:5 87:18</p> <p style="text-align: center;"><b>B</b></p> <p><b>back</b> [23] 6:2 11:7 21:3 28:14 32:8 34:17,18 39:14,15 40:4,19 42:23 44:13 56:18 62:12 64:12,24 65:12 74:21 75:16 80:15 84:2 89:21</p> <p><b>background</b> [1] 83:17</p> <p><b>backwards</b> [1] 19:2</p> <p><b>bank</b> [4] 27:14,18 34:5,22</p> <p><b>banking</b> [1] 27:13</p>	<p><b>base</b> [1] 96:11</p> <p><b>based</b> [18] 13:18 14:12 40:22 41:5,6,9,21 42:1,2,3 46:14 47:4 54:21 60:14 66:9 73:3 97:6,18</p> <p><b>bases</b> [1] 93:9</p> <p><b>basically</b> [12] 8:2 11:9,21 18:5 23:19 32:16,23 35:9,14 70:19 72:6 97:12</p> <p><b>basis</b> [5] 16:19 50:19 58:5,8 67:20</p> <p><b>bathing</b> [1] 5:18</p> <p><b>beane</b> [2] 5:2 74:6</p> <p><b>beane's</b> [1] 57:11</p> <p><b>become</b> [2] 15:2 40:10</p> <p><b>beg</b> [1] 24:6</p> <p><b>began</b> [9] 15:8 28:3 30:23 31:14,20 39:19 40:15,21 59:21</p> <p><b>begin</b> [3] 30:9 31:15 82:23</p> <p><b>beginning</b> [4] 43:13 58:1 79:17 88:3</p> <p><b>begun</b> [2] 49:8 71:7</p> <p><b>behalf</b> [3] 3:2 81:17 94:4</p> <p><b>believe</b> [13] 14:21 16:8 30:13 31:14 41:23 44:22,23 45:23 54:2 62:4 63:17 66:24 68:1</p> <p><b>belpre</b> [8] 12:8 17:12 22:15 23:13,16 24:10 25:3 30:24</p> <p><b>benefit</b> [2] 8:16 37:11</p> <p><b>benefits</b> [1] 6:8</p> <p><b>best</b> [10] 8:5 22:14 24:3 25:13 28:1 34:1 36:23 37:22 57:24 87:21</p> <p><b>better</b> [5] 9:22 37:18 41:8 65:4 74:22</p> <p><b>between</b> [12] 7:22 17:8 46:16 51:4 61:14 64:2 65:5 67:15 91:17 95:3 98:3,5</p> <p><b>beyond</b> [10] 45:15,21 48:10,14,23 54:13 85:17 86:2,3,11</p> <p><b>bias</b> [1] 99:7</p> <p><b>big</b> [2] 36:1 53:21</p> <p><b>bills</b> [2] 25:13 54:21</p> <p><b>bio</b> [1] 65:20</p> <p><b>biological</b> [1] 35:7</p> <p><b>biologically</b> [1] 64:21</p> <p><b>biomarker</b> [1] 42:7</p> <p><b>biomarkers</b> [1] 65:21</p> <p><b>biostatistician</b> [1] 39:4</p> <p><b>birth</b> [2] 28:24 79:21</p> <p><b>bit</b> [9] 24:22 28:4,15 30:2 59:6 75:19 77:4 87:8 98:24</p> <p><b>blanks</b> [1] 9:17</p> <p><b>blast</b> [1] 24:18</p> <p><b>blennerhasset</b> [1] 23:13</p> <p><b>blew</b> [1] 59:8</p> <p><b>blind-sided</b> [1] 24:1</p> <p><b>block</b> [1] 67:20</p> <p><b>blood</b> [45] 8:17 12:21,24 13:1,6 14:4 18:7 20:20 21:3,13,16 25:16 26:13,15,21 27:2,10 29:15 30:9 31:11 37:2 41:2 51:9 58:17,20 60:16,22,24 61:8,13,15,15,23 62:1,10,16 63:9,12 64:5,13,21 65:22 69:2 77:11,14</p>	<p><b>board</b> [2] 24:19 32:15</p> <p><b>boards</b> [2] 74:10 88:15</p> <p><b>bob</b> [4] 9:13 15:21 49:24 51:16</p> <p><b>bodily</b> [1] 12:23</p> <p><b>body</b> [5] 42:2 60:6,9,11 61:2</p> <p><b>boiled</b> [1] 7:14</p> <p><b>bombarded</b> [1] 30:15</p> <p><b>boolean</b> [1] 44:3</p> <p><b>both</b> [12] 42:12 49:14 54:23 57:1 62:21 71:1,10,14 77:14 80:18 90:22 99:4</p> <p><b>bottom</b> [1] 66:6</p> <p><b>bought</b> [1] 26:12</p> <p><b>bounds</b> [1] 86:3</p> <p><b>bowels</b> [1] 77:6</p> <p><b>box</b> [2] 89:22,22</p> <p><b>brain</b> [1] 77:4</p> <p><b>break</b> [2] 55:22 56:15</p> <p><b>brief</b> [3] 49:24 56:16 57:11</p> <p><b>briefly</b> [1] 32:14</p> <p><b>bring</b> [5] 14:15 27:1 37:24 90:19 92:8</p> <p><b>bringing</b> [1] 64:23</p> <p><b>broad</b> [1] 93:19</p> <p><b>broadly</b> [1] 81:3</p> <p><b>brochures</b> [2] 23:6,21</p> <p><b>broke</b> [1] 56:21</p> <p><b>brookmar</b> [20] 8:21 10:23 15:10 37:9,10 39:7 40:4 51:7 52:13 61:19,24 63:21 88:10 91:15 93:12,13,20,24 94:4 100:6</p> <p><b>brooks</b> [22] 3:3 8:20 10:7,12,15,18 17:23 37:13 38:19 39:6,10,18 40:2,6,17 43:16 44:17 48:10 58:6 62:1 82:19 94:4</p> <p><b>brought</b> [8] 11:20 13:2 14:5 23:18 33:7 64:4,12 88:7</p> <p><b>brown</b> [3] 74:11 78:2,21</p> <p><b>budget</b> [1] 13:23</p> <p><b>bulk</b> [1] 25:24</p> <p><b>bunch</b> [1] 70:5</p> <p><b>burden</b> [1] 100:23</p> <p><b>busy</b> [1] 68:18</p> <p><b>buy</b> [1] 26:9</p> <p style="text-align: center;"><b>C</b></p> <p><b>c-8</b> [33] 5:17,24 7:22 8:22 11:1,18 13:1 24:14 37:1 41:13 42:4 46:16 50:8 58:14,17,19 59:8,21 60:6,23 61:4,5,10 80:19 81:7 85:7,20 86:12,24 91:14 92:17,22 94:19</p> <p><b>calculator</b> [1] 51:15</p> <p><b>calibration</b> [5] 44:18,23,24 45:5,5</p> <p><b>call</b> [10] 10:6 15:10 18:20 21:19 32:21 38:5,8 64:11 65:12,21</p> <p><b>callback</b> [1] 64:11</p> <p><b>called</b> [10] 3:2 7:11 19:14 39:10,18 44:2 52:21 68:6 70:9 88:8</p> <p><b>calling</b> [4] 10:9 92:23 95:14 100:3</p> <p><b>calls</b> [6] 7:9 53:6 71:21 95:7 99:21,23</p> <p><b>camden</b> [1] 11:15</p>	<p><b>came</b> [12] 17:18 24:20 28:11 32:7,12,15 33:24 44:11 50:21 64:7 80:10 90:4</p> <p><b>campus</b> [1] 11:15</p> <p><b>can't</b> [2] 61:3 70:3</p> <p><b>canada</b> [1] 25:19</p> <p><b>cancel</b> [1] 10:2</p> <p><b>cancer</b> [12] 28:24 37:4 62:21 67:18,19,22,23 69:19 77:5 81:5 92:20,21</p> <p><b>cancers</b> [2] 37:4 69:19</p> <p><b>cannot</b> [2] 61:3 81:2</p> <p><b>capacity</b> [1] 78:23</p> <p><b>cardiac</b> [1] 22:3</p> <p><b>cardiovascular</b> [1] 81:5</p> <p><b>care</b> [4] 22:8 31:6 57:2 95:1</p> <p><b>career</b> [1] 98:19</p> <p><b>carolina</b> [1] 79:6</p> <p><b>carried</b> [1] 22:17</p> <p><b>carry</b> [1] 14:20</p> <p><b>carrying</b> [1] 11:17</p> <p><b>case</b> [9] 9:10 41:22 42:4 74:8,20 81:20 84:8 86:19 92:12</p> <p><b>cases</b> [1] 96:21</p> <p><b>categories</b> [1] 91:8</p> <p><b>category</b> [1] 91:11</p> <p><b>causal</b> [1] 45:20</p> <p><b>causality</b> [1] 85:16</p> <p><b>causally</b> [1] 46:8</p> <p><b>causation</b> [2] 46:12 96:17</p> <p><b>cause</b> [2] 22:3 29:16 95:18</p> <p><b>caused</b> [2] 86:9,10</p> <p><b>causes</b> [2] 46:22 64:22</p> <p><b>census</b> [1] 67:20</p> <p><b>center</b> [1] 11:15</p> <p><b>central</b> [5] 16:19,21 18:19,20 48:21</p> <p><b>cents</b> [3] 8:13 51:12,14</p> <p><b>cerebral</b> [1] 28:24</p> <p><b>certain</b> [8] 14:14 17:19 19:22 32:19 39:12 43:22 45:22 84:19</p> <p><b>certainly</b> [5] 39:2 44:15 82:1 86:14 88:14</p> <p><b>certainty</b> [1] 85:16</p> <p><b>certified</b> [2] 6:13 50:12 102:3</p> <p><b>certify</b> [3] 102:5,8,11</p> <p><b>challenge</b> [2] 63:14,14</p> <p><b>challenges</b> [1] 83:3</p> <p><b>chance</b> [3] 45:20 46:7,23</p> <p><b>changed</b> [1] 67:23</p> <p><b>changes</b> [1] 29:24</p> <p><b>characterize</b> [1] 40:13</p> <p><b>check</b> [5] 21:4 27:12,13 51:18,21</p> <p><b>checking</b> [1] 27:15</p> <p><b>chemicals</b> [1] 85:20</p> <p><b>chemistry</b> [1] 8:17</p> <p><b>chester</b> [1] 12:9</p> <p><b>chief</b> [1] 5:2</p> <p><b>child</b> [2] 79:22 81:10</p> <p><b>children</b> [3] 41:24 46:3 67:9</p> <p><b>chime</b> [1] 77:20</p> <p><b>choice</b> [1] 57:16</p>
--	--	--	---

<p><b>cholesterol</b> [93] 43:7,16 44:1,4,11 45:13,16,16,19 46:18 47:1,2 61:8 63:19 64:3,6,8,15,17,19,22,22,24 65:3,5,7,13,21 66:2,3,4,7 77:12  <b>christmas</b> [1] 66:23  <b>chronic</b> [6] 63:11 69:2,5,16,18 81:4  <b>chronicle</b> [1] 37:21  <b>chronological</b> [1] 11:7  <b>chronology</b> [2] 6:13 32:12  <b>circuit</b> [1] 5:2  <b>city</b> [19] 12:8 52:23 54:18,19,21 55:2,8,11 79:14 95:9 100:17,19,24  <b>civil</b> [1] 5:5  <b>claim</b> [1] 81:2  <b>claimed</b> [1] 27:21  <b>claims</b> [1] 7:7  <b>clarification</b> [1] 97:11  <b>clarify</b> [2] 80:22 82:15 89:6  <b>clark</b> [1] 11:15  <b>class</b> [16] 6:8 7:2,16 8:17,19 11:16 12:4,11,14 17:1 34:7 46:17 70:18 93:15,16 99:23  <b>classes</b> [1] 69:21  <b>clean</b> [9] 8:4 32:21 82:21  <b>cleaning</b> [2] 32:21 33:4  <b>clear</b> [4] 50:21 86:9,21 97:7  <b>clearest</b> [1] 42:11  <b>cleveland</b> [9] 68:15 73:1,14 74:7,20  <b>clients</b> [2] 99:22,23  <b>clinic</b> [7] 51:19 68:15 73:2,14 74:7,20 77:14  <b>clinical</b> [2] 91:20 92:6  <b>clinician</b> [1] 43:22  <b>clock</b> [1] 83:6  <b>close</b> [3] 22:6 29:17 32:12  <b>closed</b> [1] 30:4  <b>code</b> [2] 67:21 102:12  <b>codeable</b> [2] 43:11,12  <b>coded</b> [2] 43:18,19  <b>cognitive</b> [1] 67:8  <b>cohort</b> [3] 66:15,16 92:11  <b>collaborate</b> [1] 43:5  <b>collaborators</b> [1] 84:13  <b>collagen</b> [1] 29:7  <b>collect</b> [3] 58:7 92:10 93:19  <b>collected</b> [5] 13:9 35:9 62:3 91:9,15  <b>collecting</b> [2] 15:15 30:9  <b>collection</b> [15] 6:7 11:9 12:20 14:20 16:1,4,21 17:10,11 30:5,12 32:24 35:9 36:21 93:23  <b>collectively</b> [4] 85:23 87:15 89:2 96:1  <b>college</b> [4] 25:20,22 34:3 44:19  <b>colombo</b> [2] 88:3,4  <b>colombus</b> [1] 26:16  <b>columns</b> [9] 36:17,21 40:18  <b>combined</b> [1] 54:3  <b>come</b> [22] 18:15,21 19:17,18,18 22:22 24:17 25:7 37:1,7 57:3 68:12</p>	<p>73:5 74:3 75:15,16 79:24 80:22 81:16 94:17 95:5 99:20  <b>comes</b> [3] 32:20 49:1 54:23  <b>coming</b> [4] 22:20 48:8 83:7 96:10  <b>commend</b> [1] 47:15  <b>commended</b> [1] 52:20  <b>comment</b> [1] 39:12  <b>comments</b> [4] 15:3 46:24 56:21 57:11  <b>commission</b> [1] 102:24  <b>common</b> [1] 98:15  <b>commonly</b> [2] 5:17 11:1  <b>communicate</b> [2] 42:11 100:23  <b>communicated</b> [2] 59:20 79:4  <b>community</b> [18] 11:3 32:17 52:19 53:11 60:20 62:17,20 66:10,20 67:12 80:19 81:18 86:2 92:22 94:24 96:16 98:13,16  <b>companies</b> [2] 15:12 60:2  <b>companions</b> [1] 70:21  <b>company</b> [2] 5:5 94:7  <b>comparable</b> [1] 25:24  <b>compare</b> [1] 61:14  <b>compiled</b> [2] 47:9,22  <b>complete</b> [2] 37:21 40:4  <b>completed</b> [7] 8:23 21:3 37:23,23 51:5 54:1 59:15  <b>completely</b> [2] 45:2 87:23  <b>completion</b> [1] 87:12  <b>complexities</b> [1] 46:1  <b>complexity</b> [1] 48:19  <b>complications</b> [1] 29:4  <b>comply</b> [4] 15:13 73:15 75:5 88:20  <b>component</b> [5] 8:2,9,15 81:11 90:23  <b>components</b> [2] 7:15 92:8  <b>computer</b> [6] 9:6 16:9,13 20:6 27:18 30:19  <b>concede</b> [1] 13:6  <b>concentration</b> [2] 17:13 42:7  <b>concern</b> [4] 40:8,9 81:22 86:7  <b>concerning</b> [1] 41:2  <b>concerns</b> [2] 5:16 88:19  <b>conclusion</b> [3] 60:19 87:14 89:4  <b>conditions</b> [1] 49:14  <b>conduct</b> [1] 61:20  <b>conducted</b> [2] 23:22 24:23  <b>conducting</b> [3] 61:20 63:3 67:16  <b>conference</b> [2] 7:12 71:21  <b>confidence</b> [4] 37:10 87:14 95:22 96:15  <b>confidential</b> [2] 74:10,13  <b>confidentiality</b> [3] 11:24 88:17,19  <b>confidently</b> [1] 70:12  <b>confine</b> [1] 46:24  <b>confirmation</b> [1] 91:10  <b>confound</b> [1] 46:5  <b>confounding</b> [2] 46:4,5  <b>confuse</b> [1] 29:12  <b>congratulate</b> [1] 71:1  <b>connective</b> [1] 29:3  <b>consecutive</b> [1] 22:17</p>	<p><b>consent</b> [6] 20:1,21,23 73:3,4 74:22  <b>consequences</b> [1] 47:4  <b>consider</b> [2] 11:17 39:14  <b>considered</b> [1] 21:18  <b>considers</b> [1] 65:13  <b>consistently</b> [2] 60:21 68:9  <b>consists</b> [1] 39:1  <b>constant</b> [1] 27:14  <b>constantly</b> [1] 7:10  <b>consultants</b> [3] 15:12 28:2 38:20  <b>consulted</b> [1] 29:18  <b>consumed</b> [2] 12:11 19:23  <b>contacted</b> [5] 11:8,16 15:17 21:22 39:6  <b>contaminated</b> [1] 12:10  <b>contamination</b> [1] 12:7  <b>contemplate</b> [1] 93:12  <b>contemplated</b> [1] 93:21  <b>context</b> [1] 96:20  <b>continually</b> [1] 71:11  <b>continue</b> [6] 23:11 48:16 49:8 55:22 70:10 89:7  <b>continued</b> [2] 53:24 56:17  <b>continuing</b> [1] 48:15  <b>continuously</b> [1] 32:16  <b>contract</b> [1] 40:4  <b>contracted</b> [3] 9:4,9 53:3  <b>contracting</b> [1] 15:11  <b>contracts</b> [1] 27:8  <b>control</b> [2] 25:23 82:4  <b>conversely</b> [1] 43:4  <b>convert</b> [1] 40:19  <b>cooking</b> [1] 5:18  <b>cooperation</b> [1] 67:12  <b>coordinated</b> [1] 24:24  <b>copy</b> [3] 21:5 52:1 100:24  <b>copyright</b> [2] 48:4,6  <b>corporation</b> [1] 100:14  <b>correct</b> [13] 11:1,2 67:9 72:10 78:22 79:18 80:11 83:16,20 90:14,15 91:12 97:1  <b>correcting</b> [1] 10:21  <b>correction</b> [1] 82:22  <b>correctly</b> [2] 57:22 84:11  <b>correlated</b> [1] 64:6  <b>cortiles</b> [2] 41:11 42:10  <b>cost</b> [6] 14:1 27:1,4 52:16 100:9,12  <b>costing</b> [2] 13:18 72:3  <b>costly</b> [1] 35:21  <b>costs</b> [1] 14:7  <b>could've</b> [1] 12:15  <b>couldn't</b> [15] 14:16 18:14,23 23:21 24:19 26:7,8 27:18 32:3,3 33:10 35:24 61:10 82:23 83:8  <b>counsel</b> [10] 53:24 54:16 56:2 57:13 75:23 93:16 94:23 99:18 101:15 102:8  <b>counter</b> [1] 44:7  <b>counts</b> [1] 21:16  <b>county</b> [9] 5:3,5,20 10:15 12:9 23:</p>	<p>15 73:11,13 102:2  <b>couple</b> [9] 29:9 41:1 59:16  <b>course</b> [14] 6:9 13:17 16:14 19:11 29:2 33:21 36:18 45:3 46:1 55:18 70:17 80:14 85:10 88:20  <b>court</b> [102] 5:3,7,12 6:1,5,16,16,20,21,21 8:22 9:1,10,21 10:2,8,23 11:23 13:19,20 14:2 17:23 18:1 33:6,14,19 37:10,16,24 38:2,4,6 39:8,22 41:15 42:24 44:10,13 45:14 48:12 49:21 51:17 52:5,7,11,23 53:1,17 54:5,9,17 55:5,8,9,12,14,20 56:18 57:1,18 63:10 64:2 65:6 66:12 68:5,7 69:8 70:9 72:23 73:6,21 74:6 75:13 77:18,21 78:1 79:10 83:22,24 88:2,10 89:9,20 93:4 94:3,6 95:16 96:21 99:14,16,18 100:4,4 101:5,9,12,14,17 102:3,3,14,22  <b>court's</b> [2] 57:8 90:19  <b>court</b>.....83 [1] 3:22  <b>court</b>.....44 [1] 3:8  <b>courtroom</b> [3] 47:20 57:10 95:1  <b>cover</b> [1] 24:6  <b>covers</b> [1] 24:8  <b>create</b> [1] 27:8  <b>credit</b> [1] 60:20  <b>critical</b> [4] 61:1 68:22 76:12 95:15  <b>crohn's</b> [1] 77:6  <b>cross</b> [9] 3:8,17,21,22 4:4 44:9 72:17 81:14 96:4  <b>cross-sectional</b> [2] 65:13 91:17  <b>cubicle</b> [1] 20:5  <b>cubicles</b> [1] 18:4  <b>currently</b> [1] 39:2  <b>curve</b> [1] 24:22  <b>cushing's</b> [1] 29:1  <b>customarily</b> [1] 100:22  <b>cut</b> [1] 86:18</p>
---	---	---	---

**D**

**darn** [1] 77:5  
**data** [106] 9:4,6,8 11:9 12:20 13:9,12 14:20 15:15 16:2,4,16 19:10 20:1,12 27:7 30:12 31:14,20 32:22,23 33:3,4,9,10,12 35:9 36:17,21,24 39:8,16 40:4,11,17,18,21,22 41:11,17,20 42:11 45:4,5 47:8,22 49:3,8,10,11,14,14 51:9 58:4,7,13 60:14,18 61:4,5,7,19,24 62:3,23 63:4,20,21 64:10 68:12,24 69:4 73:20,22 81:9 82:7,16,17,20,21 83:1,2,4,9,11,12,13,14,17 84:16,17 88:9,10,16 89:4 91:6,15 92:10,11,17,17 93:12,19,23 99:10 100:17  
**data's** [1] 65:18  
**database** [18] 18:18 19:8,11 31:7 32:20 33:6,10,11,15,18 36:15,17 37:19 40:15 48:9,13,20 49:2  
**date** [14] 11:21 14:22 15:7 31:19 33:7,8 41:1,6 66:24 67:22 68:21 76:11,12 91:11

<p><b>dates</b> [1] 174:15  <b>dating</b> [1] 12:12  <b>david</b> [5] 3:19 67:9 78:2,11,17  <b>day</b> [12] 10:2 14:24 17:9 18:14 20:12 22:7 26:3,8 31:13 68:19 69:9 102:18  <b>days</b> [4] 22:17 30:17 33:22,24  <b>de</b> [2] 5:4 33:17  <b>de-identification</b> [2] 49:4 88:17  <b>de-identified</b> [5] 13:9 20:2 32:22 49:4 72:24  <b>dead</b> [1] 73:5  <b>deadline</b> [1] 97:7  <b>dealing</b> [1] 84:4  <b>death</b> [1] 62:22  <b>december</b> [2] 12:13 25:11  <b>decide</b> [3] 7:15,24 24:14  <b>decided</b> [7] 7:4 16:9,13 18:11,16 93:17,18  <b>decision</b> [3] 65:20 82:9 91:4  <b>decline</b> [1] 73:3  <b>dedication</b> [1] 47:14  <b>defects</b> [1] 28:24  <b>defendants</b> [1] 5:22  <b>defined</b> [2] 43:12 46:13  <b>definitely</b> [1] 8:14  <b>definitive</b> [1] 65:17  <b>degree</b> [3] 8:5 13:12 92:2  <b>deitzler</b> [35] 5:10,13 6:4,12 9:2 10:1,6,14 18:3 38:3,8,12 47:7 49:17, 23 50:4 52:4,8,12 53:2 54:19 55:6 56:20 72:18 81:15 83:23 88:3,6, 23 96:3,5 99:19 100:18,21 101:3  <b>deitzler</b>.....96 [1] 4:4  <b>deitzler</b>.....72 [1] 3:17  <b>deitzler</b>.....81 [1] 3:21  <b>deitzler</b>.....10 [1] 3:4  <b>deitzler</b>.....38 [1] 3:7  <b>deitzler</b>.....50 [1] 3:12  <b>deitzler</b>.....88 [1] 3:23  <b>deitzler</b>.....47 [1] 3:9  <b>delay</b> [4] 83:13,14 86:9,10  <b>deliver</b> [4] 68:7 70:10,11 75:5  <b>delivered</b> [3] 33:6,9 65:19  <b>delivers</b> [1] 25:4  <b>delivery</b> [2] 25:3 63:4  <b>demographic</b> [2] 33:9,12  <b>demographics</b> [1] 61:7  <b>department</b> [2] 32:17 79:1  <b>depend</b> [3] 20:16 66:15,19  <b>dependent</b> [5] 80:13 81:5,8,10,18  <b>depends</b> [2] 42:11 59:3  <b>deposed</b> [1] 59:23  <b>descent</b> [1] 63:16  <b>describe</b> [4] 38:24 61:21 62:8 68:3  <b>described</b> [5] 58:7 61:23 62:1 93:16 94:1  <b>deserve</b> [1] 52:19  <b>design</b> [2] 15:24 70:23  <b>designated</b> [2] 12:4 50:10  <b>designed</b> [4] 18:5 64:11 67:3 70:</p>	<p>21  <b>desire</b> [1] 57:2  <b>destroyed</b> [3] 36:1,2,3  <b>detail</b> [6] 11:12 12:21 23:6 25:15 28:10 75:15  <b>detailed</b> [3] 8:17 14:19 48:1  <b>details</b> [3] 14:17 59:14 68:21  <b>determination</b> [3] 7:9,18 80:7 87:9,20 96:6,7,24  <b>determinations</b> [4] 85:11 86:4 89:9,13  <b>determine</b> [15] 7:20 12:17 23:4 27:6,7,10 28:6,7 58:11 59:22,24 62:13 69:1,10 86:24  <b>determined</b> [11] 13:4 24:16 25:1 27:20 34:1,10,11 40:21,24 41:4 45:11  <b>determining</b> [5] 7:23 59:7 60:5 80:9 96:23  <b>development</b> [3] 79:22 81:10 83:17  <b>devoted</b> [1] 84:22  <b>diabetes</b> [7] 21:15 29:2 69:19 76:3,5,5,8  <b>diagnose</b> [1] 76:8  <b>diagnosed</b> [2] 91:9,13  <b>diagnosed?"</b> [1] 77:1  <b>diagnosis</b> [5] 43:11,12 68:22 74:15 91:11  <b>diagnostic</b> [1] 91:11  <b>didn't</b> [2] 22:2 95:24  <b>died</b> [3] 36:3 62:22,22  <b>dietary</b> [1] 42:4  <b>difference</b> [2] 41:8 98:3  <b>differences</b> [1] 6:15  <b>different</b> [17] 36:12 41:5,11,12,24 42:1,2,3,5 46:22 49:14 56:14 67:6 74:22 77:12 92:7,8  <b>difficult</b> [2] 19:3 63:3  <b>difficulties</b> [1] 72:21  <b>difficulty</b> [3] 67:22,24 68:1  <b>digest</b> [1] 76:16  <b>dilemma</b> [1] 77:13  <b>diligent</b> [2] 57:21 81:1  <b>direct</b> [13] 3:4,7,12,16,20 4:3 10:13 38:11 50:3 57:6 78:13 82:4 90:2  <b>direction</b> [3] 59:7 82:17 85:8  <b>dirty</b> [1] 82:21  <b>disappears</b> [1] 60:17  <b>disbursement</b> [4] 52:21 54:22,23 55:6  <b>discover</b> [1] 21:14  <b>discovered</b> [1] 46:6  <b>discuss</b> [1] 56:15  <b>discussed</b> [2] 69:6 70:2  <b>discussion</b> [2] 72:20 93:13  <b>disease</b> [66] 7:22 21:1 28:23 23 29:2,3,3,7 35:23 43:17,19,21 44:1,4,8 46:17 47:2 53:10 58:11,15, 15,18,21,22,23 59:1,1 60:11 61:13, 14,16 62:13,14,15,24 63:7,11 64:</p>	<p>10 65:24 66:3,5,5,7,8 68:21 69:6, 18,19,20,20,20 76:10 77:7,13,14 80:9 81:5 91:8,8,9,12,19 92:4,18, 19  <b>diseases</b> [27] 7:23 20:22 27:22 28:18,19,21 35:19 36:8 37:3,17 42:22 45:12 63:11 67:7 69:2,16,18 76:9,13,18,22,23 77:3,10 81:4 87:4 91:4  <b>disk</b> [1] 33:13  <b>dismissed</b> [1] 23:18  <b>disorder</b> [2] 77:1,6  <b>dispersed</b> [1] 36:4  <b>dispute</b> [1] 47:16  <b>distinction</b> [3] 79:8 81:3 89:14  <b>distract</b> [2] 85:11 87:18  <b>district</b> [5] 5:22 8:7 19:19 25:10 31:1  <b>districts</b> [7] 5:15 8:6 12:7,10,12 17:11 31:4  <b>divided</b> [1] 51:13  <b>dividends</b> [1] 35:21  <b>doctor</b> [9] 10:19,21 38:7 47:8 49:21 76:7 79:2 90:11,12  <b>doctor's</b> [1] 26:14  <b>document</b> [1] 31:5  <b>documentation</b> [2] 17:21 19:22  <b>documents</b> [2] 25:7 33:13  <b>doing</b> [29] 12:2 23:10 25:5,24 34:12,13 46:1 58:2,5,9 67:7,17,18 70:5,10 71:12 72:6 74:16 82:1,6,10 84:3 87:21 91:23,24 95:19,20 98:6 100:23  <b>dollar</b> [2] 13:7 98:11  <b>dollars</b> [18] 8:11,13 13:5,8 14:2,3, 5,6 20:4 21:4 26:5 27:19 51:5,11 52:17 93:15 100:13,14  <b>domain</b> [3] 13:10 20:3 88:10  <b>don't</b> [1] 49:17  <b>donated</b> [1] 41:10  <b>done</b> [54] 8:13,24 9:12,18 13:1,20 15:3,4 19:24 24:1 29:8 35:10,17, 20 36:14,22 37:2 41:7 42:16,18, 21 43:18 44:18 45:21 49:6 50:13 51:17 52:18 53:10,12,20 54:4 56:9 62:4,6,9,19 63:16 65:18 66:20 67:3 69:13,13,13 74:23 82:23 83:8 84:11 85:19 88:8 91:1 94:20 95:22 98:7  <b>door</b> [1] 21:5  <b>doubled</b> [1] 24:10  <b>doubt</b> [5] 45:16,18,22 85:17 99:12  <b>doubts</b> [1] 95:18  <b>down</b> [21] 7:14,22 14:10,15 20:7 22:7,20 24:20 27:1 30:5,16 33:7, 19,22 37:24 38:4 46:1 65:16,17 81:16 89:10  <b>dramatically</b> [1] 41:3  <b>drank</b> [2] 60:9,12  <b>draw</b> [4] 18:7 21:3 83:12 87:14  <b>drawing</b> [4] 18:6,7 83:13,14  <b>drawn</b> [3] 13:6 26:21 27:13</p>	<p><b>draws</b> [1] 51:9  <b>drew</b> [1] 25:16  <b>drink</b> [1] 61:1  <b>drinking</b> [3] 5:18 59:5,11  <b>drinks</b> [1] 25:4  <b>drop</b> [2] 64:17 65:3  <b>dropped</b> [3] 64:16 65:1,1  <b>drug</b> [1] 43:23  <b>du</b> [1] 5:4  <b>ducatman</b> [11] 3:6 9:10 28:4 32:14 37:17 38:8,9,14,15 64:4 85:12  <b>duckerman</b> [1] 56:5  <b>duke</b> [1] 79:5  <b>dupont</b> [18] 5:9,16,21 8:4,14 37:15 52:14 53:16,23 54:20 56:1 59:21 60:23 62:19 70:19 93:14 100:8,10  <b>during</b> [4] 28:12 70:20,20 71:20</p>
<b>E</b>			
<p><b>e.i</b> [2] 5:4,8  <b>each</b> [10] 13:18 42:6 50:18 54:22 69:16 81:24 84:1,8 91:2,3  <b>earlier</b> [2] 34:12 51:20  <b>early</b> [3] 32:10,15 33:8  <b>easy</b> [6] 18:10 24:13 59:14 60:1 68:2 78:5  <b>education</b> [3] 8:16 10:24 12:6  <b>effect</b> [1] 51:4  <b>effects</b> [3] 43:23 47:3 85:20  <b>efficient</b> [2] 13:14 16:12  <b>efficiently</b> [1] 85:2  <b>effort</b> [5] 37:12 54:3 59:15 64:14 91:5  <b>eight</b> [7] 51:11 64:12,24 65:12 92:23 99:22,24  <b>eighty</b> [5] 5:14 12:14,18 17:2 51:13  <b>either</b> [7] 20:9 43:12 45:11 54:24 55:24 57:12 65:9  <b>electrolytes</b> [2] 21:16,17  <b>electronic</b> [1] 16:19  <b>elevated</b> [1] 65:16  <b>elevation</b> [2] 64:8 91:17  <b>eleven</b> [5] 61:21,22 67:3 90:23 92:16  <b>eligibility</b> [2] 12:11 25:1  <b>emissions</b> [1] 59:19  <b>emory</b> [1] 74:11  <b>empanelled</b> [1] 27:24  <b>emphasize</b> [1] 97:23  <b>employed</b> [1] 78:21  <b>employee</b> [2] 27:8 54:2  <b>employees</b> [3] 14:8 15:14 24:16  <b>employment</b> [1] 28:17  <b>enclosing</b> [1] 37:9  <b>encompassed</b> [1] 35:14  <b>encourage</b> [1] 94:14  <b>end</b> [9] 17:17 18:5 20:11 32:24,24 53:8 63:21 85:21,22  <b>ended</b> [2] 32:10 47:17  <b>endocrinologist</b> [1] 39:3  <b>endure</b> [1] 43:24</p>			

<p><b>english</b> [1] 102:7  <b>enormous</b> [1] 54:4  <b>enough</b> [7] 15:6 26:9 30:17 87:9, 19,20 88:4  <b>enroll</b> [1] 48:18  <b>enrolled</b> [1] 48:17  <b>enrollment</b> [1] 45:24  <b>ensure</b> [1] 49:5  <b>entail</b> [1] 53:1  <b>enter</b> [3] 73:8,12 74:18  <b>entered</b> [2] 17:18 18:18  <b>entertain</b> [1] 12:1  <b>entire</b> [3] 35:10,15 98:8  <b>entirely</b> [1] 80:12  <b>entities</b> [1] 84:8  <b>entitled</b> [1] 7:17  <b>entity</b> [2] 15:9,9  <b>entry</b> [3] 16:16 19:10 75:13  <b>enumerated</b> [1] 90:24  <b>envelope</b> [1] 21:10  <b>environment</b> [1] 5:17  <b>enzymes</b> [1] 65:15  <b>epidemiologic</b> [1] 58:9  <b>epidemiological</b> [1] 98:7  <b>epidemiologist</b> [3] 9:5 63:15 78: 6,19 90:9 98:19  <b>epidemiologists</b> [2] 39:3 94:2  <b>epidemiology</b> [3] 78:24 82:1 83:2  <b>equipment</b> [2] 26:4,12  <b>errors</b> [1] 62:1  <b>essence</b> [1] 7:14  <b>essential</b> [2] 45:5 73:7  <b>essentially</b> [1] 11:4  <b>estimate</b> [5] 59:11 80:14 84:19 100:9,12  <b>estimated</b> [4] 5:14 12:14 63:8 99: 24  <b>estimates</b> [3] 80:13,16 100:11  <b>et</b> [3] 5:4,5,8  <b>etcetera</b> [3] 14:8 15:4,4 26:15,15 30:11,11 32:7  <b>ethics</b> [1] 74:10  <b>evaluate</b> [3] 42:15 77:10,11  <b>evaluated</b> [2] 7:5 23:4  <b>even</b> [12] 6:10 21:9 22:22 23:20 42: 4 43:10 82:15 83:1,7,11 86:2 87: 17  <b>event</b> [4] 74:2 75:8,8,15  <b>eventually</b> [2] 19:7 34:5  <b>everybody</b> [6] 10:15 18:16 47:18 49:9 50:5 76:23  <b>everybody's</b> [1] 53:13  <b>everything</b> [8] 9:6 16:6 36:1 49:5 54:6 55:3 58:8 81:4  <b>evidence</b> [8] 7:20 46:15 69:22 70: 8 73:6 92:1 96:11 97:5  <b>exact</b> [5] 33:7 39:20 53:19 69:12 100:16  <b>exactly</b> [3] 70:4 75:16 76:8  <b>examination</b> [1] 88:24  <b>examination</b> [26] 3:4,7,8,9,12,16, 17,20,21,22,23,24 4:3,4 10:13 38:</p>	<p>11 44:9 47:6 50:3 57:6 72:17 78: 13 81:14 88:5 90:2 96:4  <b>examined</b> [1] 51:9  <b>example</b> [7] 41:23 44:4 58:22 67:7 76:21 91:7 92:9  <b>examples</b> [1] 43:14  <b>exceed</b> [1] 14:14  <b>exceeded</b> [1] 32:2  <b>excellent</b> [2] 8:9 52:15  <b>except</b> [1] 14:17  <b>excess</b> [1] 34:2  <b>exclusively</b> [1] 84:4  <b>excreted</b> [1] 60:12  <b>excuse</b> [2] 17:23 65:12  <b>excused</b> [2] 49:21 52:11  <b>executed</b> [1] 93:11  <b>executor</b> [1] 73:4  <b>exercise</b> [1] 28:19  <b>exhibit</b> [3] 52:5,6,6  <b>exited</b> [1] 18:6  <b>expect</b> [2] 63:4 75:4  <b>expected</b> [2] 6:9 30:14  <b>expedient</b> [1] 40:9  <b>expenditures</b> [1] 95:10  <b>expense</b> [1] 54:4  <b>expenses</b> [2] 53:18 54:17  <b>expertise</b> [2] 28:5 84:14  <b>expires</b> [1] 102:24  <b>explain</b> [3] 6:13 72:5 75:14  <b>explained</b> [1] 61:12  <b>explanations</b> [1] 46:7  <b>exposed</b> [2] 35:10,15  <b>exposure</b> [16] 5:24 25:8,8 41:13, 22,22 46:1,16 61:23 62:11 64:9 80:10,13,17 83:18 91:14  <b>expressed</b> [1] 72:9  <b>extend</b> [1] 80:15  <b>extent</b> [3] 8:18 30:2 85:23  <b>extra</b> [2] 19:12 96:14  <b>extraordinary</b> [3] 48:23 58:6 98: 14  <b>extrapolate</b> [1] 35:13  <b>extremely</b> [1] 48:20  <b>extremities</b> [1] 29:5  <b>eye</b> [1] 91:2</p>	<p><b>family</b> [1] 28:18  <b>far</b> [15] 18:5 21:8 35:2,15 45:9,21 46:11 48:10 59:4,22 69:15 85:8 93:23 100:8,10  <b>fast</b> [6] 30:6 60:12,24 61:2 62:6,10  <b>faster</b> [2] 63:16 84:23  <b>february</b> [5] 6:23 10:23 14:18,21 32:1  <b>fed</b> [1] 32:23  <b>federal</b> [6] 15:14 48:17,18 49:4,5 88:18  <b>feed</b> [1] 31:20  <b>feel</b> [6] 47:19 81:21 88:3 94:11,20 95:24  <b>feels</b> [1] 52:24  <b>fees</b> [1] 14:10  <b>felt</b> [5] 23:9 28:3 36:11 56:1 62:12  <b>few</b> [6] 32:2 37:23 43:5,6 67:2 70: 16  <b>fiber</b> [2] 16:22 24:12  <b>fiber-optic</b> [1] 17:17  <b>field</b> [3] 28:4 84:15 99:21  <b>fielding</b> [1] 95:7  <b>fifteen</b> [1] 32:9  <b>fifty</b> [12] 13:5,7 17:8 18:14 20:4 26: 3,8,22 31:10 36:17,20 86:18  <b>fifty-eight</b> [1] 51:11  <b>figure</b> [9] 13:16 22:13 40:11,13 58: 10 59:18 60:13 62:9 63:10  <b>figured</b> [3] 14:6 34:17 59:17  <b>file</b> [4] 52:5 55:8 74:3 100:20  <b>filed</b> [1] 5:20  <b>files</b> [1] 84:17  <b>fill</b> [2] 9:17 32:5  <b>filled</b> [4] 16:14 19:5,8,14  <b>filling</b> [1] 31:8  <b>filter</b> [1] 8:4  <b>filtering</b> [2] 52:14 100:10  <b>filters</b> [4] 60:22 64:15,16 65:2  <b>final</b> [12] 6:22 9:14 10:23 31:2 36: 15 50:23 52:1 55:19 63:21 70:7 82:16 83:1  <b>finalized</b> [1] 61:24  <b>finally</b> [1] 6:19  <b>financial</b> [4] 13:14 15:22 16:20 100:13  <b>find</b> [14] 20:8 21:24 24:12 25:18 41: 1 44:12 56:5 61:12,13 62:22 65: 17 68:5 70:6 71:6  <b>finding</b> [2] 48:1,19  <b>findings</b> [10] 7:24 69:24 73:17 80: 1,1,23 89:4 96:10 97:2,17  <b>fine</b> [3] 5:12 34:20 38:6  <b>finish</b> [1] 17:9  <b>finished</b> [6] 11:9 20:18 27:12 51:2 62:2 88:22  <b>finite</b> [1] 13:14  <b>firewalls</b> [1] 27:17  <b>firm</b> [1] 50:19  <b>first</b> [16] 8:2 9:17 11:8 14:13 19:5 24:5 25:23 30:22 57:3,9 58:6 62: 12 64:7 79:4 96:8 97:9</p>	<p><b>fit</b> [2] 16:5,6  <b>five</b> [16] 6:24 14:3 18:16 29:20 33: 22,24 44:20 51:5 62:18 64:1 66: 10,21 67:10 75:2 100:12,15  <b>fixed</b> [1] 27:4  <b>flensburg</b> [1] 15:18  <b>fletcher</b> [9] 4:2 40:7 41:1 63:23 64: 14 89:23 90:1,8 96:3  <b>fletcher's</b> [1] 40:23  <b>floods</b> [1] 36:2  <b>flow</b> [1] 18:22  <b>fluorocarbon</b> [1] 13:2  <b>focus</b> [4] 22:14,15 24:23 86:24  <b>focuses</b> [1] 79:20  <b>focusing</b> [3] 86:3 92:18,24  <b>folk's</b> [1] 90:20  <b>folks</b> [6] 53:22 57:19 67:11 78:1 81:6 92:12  <b>follow</b> [4] 13:19 22:12 61:9 75:23  <b>follow-up</b> [9] 53:11 66:16 81:6,7 83:15 88:2,9,11,12  <b>followed</b> [2] 22:7 45:24  <b>following</b> [2] 5:1 81:6  <b>follows</b> [6] 5:6 10:12 38:10 50:2 56:17 57:5 78:12 90:1  <b>football</b> [1] 79:10  <b>foregoing</b> [1] 102:5  <b>form</b> [6] 15:8,9 33:4 74:22 96:14 99:1  <b>formally</b> [1] 96:9  <b>format</b> [1] 9:7  <b>formed</b> [2] 15:9 58:8  <b>former</b> [1] 28:7  <b>forms</b> [2] 75:5,5  <b>forth</b> [2] 16:11 20:10  <b>forthcoming</b> [1] 101:7  <b>forty</b> [2] 22:10 31:9  <b>forty-six</b> [2] 14:3,6  <b>forum</b> [1] 95:6  <b>forward</b> [10] 7:11 40:14 57:3 75:7 81:6 83:19 84:11 85:3 94:17 95:5  <b>forwards</b> [1] 19:3  <b>found</b> [5] 14:21 25:9 36:6 37:3,3  <b>foundation</b> [2] 73:17 74:2  <b>four</b> [17] 5:15 13:8 14:1,4 17:8 18: 4,14,16,22 21:4 24:11 29:20 30: 16,22 64:13 67:12 98:10  <b>four-year</b> [1] 60:22  <b>framed</b> [1] 39:17  <b>frankly</b> [1] 8:8  <b>free</b> [1] 57:17  <b>front</b> [2] 21:4 23:8  <b>fruition</b> [1] 49:1  <b>frustrated</b> [2] 56:10,13  <b>frustration</b> [5] 56:3 81:19 94:3,11, 21  <b>fulfill</b> [1] 88:14  <b>full</b> [5] 11:12 24:18,18 31:3 90:7  <b>fully</b> [2] 49:13 75:4  <b>function</b> [10] 12:23 50:8 53:12 65: 15 76:21 81:4 92:19,19,20 93:1  <b>fund</b> [3] 13:15 49:6 50:10</p>
---	--	--	--

**F**

**face-to-face** [1] 71:9  
**faced** [3] 86:9 93:22,23  
**facilities** [3] 73:1 74:24 75:4  
**facility** [3] 73:11,11,13  
**fact** [10] 15:1 16:9 21:9 30:12 40: 12 54:2 72:24 80:22 81:19 93:10  
**factor** [2] 43:21 46:4  
**factual** [1] 73:16  
**fair** [5] 14:19 32:4 43:1 69:11 87: 23  
**fairly** [3] 39:17 74:16 85:15  
**fairness** [2] 11:11 14:18  
**faith** [1] 87:21  
**fail** [3] 32:15 80:5,23  
**false** [1] 76:9

<p><b>fundamental</b> [2] 60:5 64:9  <b>funded</b> [3] 8:16 34:7 84:7  <b>funding</b> [2] 8:18 48:16  <b>funds</b> [4] 13:15 15:6 27:16 51:1  <b>furnishing</b> [1] 101:6  <b>further</b> [9] 38:3 49:17 52:8 61:10                      77:15 83:23 99:15 102:8,11  <b>furthermore</b> [1] 62:5  <b>furthest</b> [1] 9:16  <b>future</b> [4] 39:12 43:8 49:6 75:7</p> <hr/> <p style="text-align: center;"><b>G</b></p> <p><b>gallipolis</b> [1] 22:16  <b>gambit</b> [1] 22:23  <b>garden</b> [11] 52:23 54:18,19,21 55:                      2,8,11 95:9 100:17,19,24  <b>gather</b> [2] 8:17 84:16  <b>gathered</b> [1] 9:3  <b>gathering</b> [2] 73:22 88:9  <b>gave</b> [8] 10:23 11:12 18:12 27:3                      61:6,6,7,8  <b>gee</b> [1] 84:22  <b>gehrig's</b> [1] 28:23  <b>general</b> [3] 14:17 39:15 42:1  <b>generally</b> [4] 12:22 80:20 85:13                      98:20  <b>generate</b> [1] 89:7  <b>gentlemen</b> [1] 7:6  <b>geographical</b> [9] 67:18 92:20,21  <b>gets</b> [6] 38:4 61:2 62:10 91:1 93:                      10 94:18  <b>getting</b> [15] 7:1,9 22:6 23:23,24 25:                      24 33:1 45:3 55:18 56:8 67:22 68:                      13 72:21 82:20 97:20  <b>give</b> [16] 11:3 14:16 16:17 19:22                      20:20,23 39:15 43:23 55:24 66:8                      67:13 69:23 73:12 76:16 91:7 100:                      18  <b>given</b> [9] 12:13 13:7 14:18 16:15                      53:3 60:21 80:18 85:5 91:11  <b>gives</b> [3] 16:11 30:6 65:4  <b>giving</b> [1] 32:19  <b>glad</b> [1] 7:11  <b>glandular</b> [1] 29:1  <b>glitch</b> [3] 34:10,11,17  <b>global</b> [1] 67:20  <b>goal</b> [2] 31:24 66:24  <b>god</b> [1] 72:13  <b>godsend</b> [1] 34:8  <b>got</b> [34] 18:17,18 19:13,16,16 20:                      21 21:19,19,23 22:19 23:7 24:9                      30:21 32:6 33:3 34:14 36:4 41:6                      58:15,16,18 60:7,11,12 61:13,16,                      24 62:14 63:20 64:18 67:11 70:14                      76:11 99:9  <b>gotten</b> [4] 13:23 30:11 62:15 75:3  <b>gout</b> [3] 29:15 46:21,22  <b>government</b> [3] 48:17,18 49:5  <b>graduate</b> [1] 84:18  <b>granted</b> [1] 13:15  <b>grants</b> [1] 85:7  <b>grateful</b> [1] 37:5</p>	<p><b>great</b> [4] 12:21 25:15 35:21 67:12  <b>ground</b> [4] 59:9,10,23,24  <b>groundwater</b> [3] 59:10,20,24  <b>group</b> [10] 22:11 23:17 49:2 52:23                      54:19,21 55:2,11 67:20 72:20  <b>groups</b> [3] 22:15,15 24:23  <b>guarantee</b> [1] 74:10  <b>guess</b> [20] 9:21 12:18 37:16 44:11,                      19 45:9 46:11 47:16,19 56:2,5,7,                      23 83:10 84:1 86:7 88:18 94:3,11                      98:13  <b>guidance</b> [2] 32:19 45:15  <b>guy</b> [4] 8:7 25:3 36:18 76:3  <b>guys</b> [2] 30:19 36:19  <b>gym</b> [1] 23:17  <b>gynecology</b> [1] 79:1</p> <hr/> <p style="text-align: center;"><b>H</b></p> <p><b>habits</b> [2] 28:18 42:3  <b>hack</b> [1] 27:19  <b>hacker</b> [1] 27:18  <b>half</b> [8] 26:5 60:16,16 61:23 62:9                      65:11 80:23 86:18  <b>hand</b> [1] 102:17  <b>handicap</b> [1] 18:8  <b>handle</b> [2] 26:18 84:17  <b>hands</b> [3] 16:10 49:5 70:9  <b>hands-on</b> [1] 84:16  <b>happen</b> [3] 48:20 73:1 93:20  <b>happened</b> [5] 30:15 32:6 40:5 59:                      17 71:18  <b>happening</b> [1] 94:12  <b>happens</b> [3] 35:7 54:20 65:6  <b>hard</b> [1] 82:2  <b>hardware</b> [1] 30:17  <b>harlow</b> [2] 102:3,21  <b>harman</b> [1] 50:19  <b>harry</b> [1] 74:4  <b>hartin*</b> [1] 8:7  <b>hate</b> [1] 94:9  <b>head</b> [3] 32:17 53:20 57:18  <b>headed</b> [1] 64:14  <b>headquarters</b> [1] 24:9  <b>health</b> [4] 8:16,17,23 10:24 11:1                      12:6,21 15:15 19:5 24:23 28:16,                      18 29:20 30:1,9 36:21 37:7 47:17                      48:15,22 49:10 50:8 51:8 52:13                      58:4,19,21 61:4,5,11 66:6 73:23                      80:19 81:7,9 84:7 85:13,20 86:5                      87:17 92:17  <b>hear</b> [7] 9:11,17,24 10:3 23:21 94:                      12 100:24  <b>heard</b> [8] 6:21 22:23 28:8 57:10 63:                      20 90:16 93:24 94:4  <b>hearing</b> [12] 5:9 6:23 11:11,22 13:                      21 14:18 72:10 73:21 94:16,19,19                      95:16  <b>hearings</b> [1] 55:21  <b>heart</b> [14] 29:2 53:10 58:22,23 59:                      1,1 60:11 66:3,5,5,7,8 69:18 77:                      13  <b>held</b> [4] 22:16 97:18,21,24</p>	<p><b>help</b> [3] 36:11 38:19 86:2  <b>helped</b> [1] 23:4  <b>helpful</b> [2] 20:14 99:21  <b>hereby</b> [1] 102:5  <b>herein</b> [2] 102:7,9  <b>hereunto</b> [1] 102:17  <b>high</b> [19] 13:12 19:6 21:15,16,22                      22:1 23:13 44:11 46:19 47:2 61:                      14 64:6 66:4,7 76:7 98:14,17,18                      99:7  <b>higher</b> [12] 45:17 58:12,14 63:11                      64:7,19,19 67:19,19,21,21 98:11  <b>hill</b> [1] 6:16  <b>hire</b> [2] 24:15 25:15  <b>hired</b> [1] 71:3  <b>hiring</b> [2] 24:20 85:3  <b>historical</b> [1] 80:16  <b>historically</b> [1] 67:19  <b>histories</b> [2] 8:17 59:7  <b>history</b> [8] 28:17,17,17,18,18 51:9                      58:21 59:4  <b>hits</b> [1] 44:5  <b>hocking</b> [4] 12:8 22:16,21 30:24  <b>hold</b> [1] 21:23  <b>honestly</b> [2] 23:8 51:15  <b>honor</b> [22] 5:10 6:4,12 10:6,10 37:                      14 44:14 45:15 49:19 52:6,10 53:                      15 55:17 57:8 68:19 77:17 81:12                      89:19 93:3 95:13 99:15,19  <b>honorable</b> [1] 5:2  <b>hooked</b> [1] 17:16  <b>hope</b> [5] 48:24 68:24 81:18,22 86:                      1  <b>hopefully</b> [4] 37:6,11 57:24 101:7  <b>hoping</b> [2] 75:6 85:9  <b>hormones</b> [1] 91:18  <b>hospital</b> [2] 11:14 68:16  <b>hospitals</b> [1] 68:17  <b>hourly</b> [1] 101:4  <b>hours</b> [2] 11:20 30:22  <b>houses</b> [1] 67:11  <b>however</b> [4] 14:21 32:6 47:12 58:                      23  <b>hudson</b> [1] 15:21  <b>human</b> [7] 7:22 8:15 10:24 43:17,                      19,21 46:16  <b>hundred</b> [40] 8:11,12,12 12:15 13:                      5,7,8 14:1,3,5,5,23 17:8,9 18:14,                      14,24 20:4 21:4 24:17 26:3,6,22,                      22 30:22 31:12 32:9 36:8 60:19,                      21 64:12,24 65:12 67:13 68:18 75:                      2 92:23 98:11 99:22 100:1  <b>huntington</b> [1] 68:16  <b>hygiene</b> [2] 74:12 90:9  <b>hypercholesterolemia</b> [2] 43:17                      65:24  <b>hypothesis</b> [1] 40:23</p> <hr/> <p style="text-align: center;"><b>I</b></p> <p><b>icd-9</b> [1] 43:19  <b>iceberg</b> [1] 53:21  <b>idea</b> [3] 22:19 30:6 80:17</p>	<p><b>identifiable</b> [1] 33:18  <b>identification</b> [4] 17:19 19:20 33:                      5 49:12  <b>identified</b> [5] 10:22 17:19 20:1 33:                      11 49:13  <b>identify</b> [3] 33:17 38:13 77:24  <b>identities</b> [1] 73:19  <b>illusion</b> [1] 85:21  <b>imagination</b> [1] 16:18  <b>imagine</b> [1] 98:4  <b>imagined</b> [1] 74:6  <b>immediate</b> [3] 7:2 85:9 86:3  <b>immediately</b> [1] 21:22  <b>immune</b> [13] 53:12 65:14 76:21 77:                      1,3,5,10,11 81:4 92:18,19 93:1 95:                      17  <b>immunologist</b> [1] 39:4  <b>impaneled</b> [1] 7:18  <b>imply</b> [1] 82:21  <b>importance</b> [1] 72:23  <b>important</b> [19] 12:3 21:2 22:13 23:                      11 35:18 41:20 70:6,8 73:21,22                      75:19 79:8 84:14 85:12,24 86:5                      87:17 100:5,8  <b>importantly</b> [1] 66:2  <b>impressive</b> [1] 36:12  <b>improve</b> [1] 37:7  <b>in-line</b> [1] 19:3  <b>incentive</b> [2] 98:11,12  <b>incidents</b> [1] 91:12  <b>included</b> [3] 12:20 15:11 28:16  <b>including</b> [2] 6:10 17:16  <b>inclusive</b> [1] 28:11  <b>increases</b> [1] 66:4  <b>independent</b> [3] 7:4,5 66:18  <b>index</b> [2] 3:1 62:22  <b>indicate</b> [1] 23:23  <b>indicating</b> [2] 71:22 75:12  <b>individual</b> [9] 20:2 34:6,21,23 54:                      23  <b>individual's</b> [1] 33:17  <b>individually</b> [3] 85:22 87:15 89:3  <b>individuals</b> [10] 5:14,18,24 17:4                      21:21 26:23 27:4 41:9 90:22,23  <b>indulgence</b> [1] 24:6  <b>influence</b> [2] 70:22,22  <b>information</b> [30] 8:18 15:12,20 16:                      1,5,6,7 20:7,19 23:6 24:24 30:9                      35:16 36:24 39:15 55:12 63:1 68:                      8 73:6 80:6,7 82:8 83:18 91:9 92:                      5,8 95:8,9 97:8 98:17  <b>informed</b> [2] 22:22 23:9  <b>initial</b> [5] 39:18 47:11,24 100:9,11  <b>initially</b> [9] 15:17 16:17,24 33:16                      39:10 40:1,2 47:23 53:9  <b>injuries</b> [1] 5:23  <b>injury</b> [1] 7:7  <b>input</b> [1] 16:16  <b>inquiry</b> [2] 79:20 91:24  <b>inside</b> [1] 33:17  <b>inspected</b> [1] 26:17  <b>inspection</b> [1] 25:21</p>
---	--	---	---

<p><b>installed</b> [1] 60:23  <b>instance</b> [1] 53:10  <b>instantaneously</b> [1] 19:9  <b>instead</b> [2] 7:1 99:10  <b>instill</b> [1] 96:14  <b>institutes</b> [1] 84:7  <b>institutional</b> [1] 88:15  <b>instructing</b> [1] 21:6  <b>instrumental</b> [1] 32:19  <b>insufficient</b> [1] 60:18  <b>insufficiently</b> [1] 48:22  <b>insurance</b> [1] 27:9  <b>integrate</b> [1] 69:1  <b>integrity</b> [1] 95:18  <b>intend</b> [2] 69:4 89:3  <b>interest</b> [6] 15:2 23:10 41:22 47:13 101:12 102:9  <b>interested</b> [5] 48:1,15 85:24 86:11 92:12  <b>interesting</b> [2] 36:6 87:16  <b>interests</b> [1] 28:2  <b>interim</b> [3] 50:16,18 96:10  <b>interpret</b> [1] 91:6  <b>interpretation</b> [1] 85:15  <b>interpreted</b> [1] 34:14  <b>interpreting</b> [1] 85:18  <b>interview</b> [1] 62:13  <b>interviewed</b> [3] 62:17,18 63:6  <b>interviews</b> [4] 66:9,16,20 76:24  <b>intimately</b> [1] 40:10  <b>investigate</b> [1] 42:15  <b>investigators</b> [3] 42:20 82:10 84:10  <b>invite</b> [1] 101:6  <b>invoices</b> [2] 84:12 85:1  <b>involve</b> [1] 69:18  <b>involved</b> [9] 12:7 40:10 47:18 70:24 71:10 81:7 82:3 84:13 92:15  <b>involves</b> [1] 91:5  <b>iq</b> [1] 67:8  <b>irritable</b> [1] 77:6  <b>isn't</b> [2] 75:8 80:24  <b>isn't</b> [1] 70:7  <b>isolated</b> [1] 24:21  <b>issue</b> [7] 44:16,24 45:1 46:4 85:10 88:16 96:1  <b>issues</b> [22] 24:24 25:6 40:3 42:4 45:6,12 48:4,6,22 53:17 79:21,23 82:7 85:12 86:1,5,11,23 88:15 94:1,2 98:23  <b>it's</b> [1] 101:11  <b>itself</b> [1] 48:9</p> <hr/> <p style="text-align: center;"><b>J</b></p> <p><b>j.d.</b> [1] 5:2  <b>jack</b> [2] 5:4,8  <b>janssen</b> [36] 10:10 37:14,14 49:19 52:10 53:15,15 54:6,10 55:16 57:7 63:24 70:15 77:17,24 78:4,8,10,14 79:12 81:12 88:22 89:1,18,21 90:3 93:2 94:5 95:13 99:9,15 100:15,21 101:8,10,16</p>	<p><b>janssen</b>.....90 [1] 4:3  <b>janssen</b>.....57 [1] 3:16  <b>janssen</b>.....78 [1] 3:20  <b>janssen</b>.....88 [1] 3:24  <b>january</b> [9] 31:12,13,18,19,22  <b>job</b> [6] 8:9 52:18 58:1,6 86:16 91:1  <b>joe</b> [1] 11:15  <b>jointly</b> [3] 70:18 78:24 100:20  <b>journal</b> [1] 42:13  <b>journals</b> [2] 54:12 89:3  <b>jr</b> [1] 10:18  <b>judge</b> [16] 5:2 6:16 11:3 39:1 52:12 57:10,17 71:24 72:9 73:8,12,17 74:2,6 76:20 92:1  <b>judged</b> [1] 85:2  <b>judgment</b> [2] 69:23 87:8  <b>judgments</b> [1] 87:6  <b>judicial</b> [1] 74:18  <b>july</b> [22] 8:24,24 9:2 11:10 14:24 15:7 23:12,14 24:21 30:4,4,8,23 32:10,10 56:12,12 66:13 69:3,12,14 80:2  <b>june</b> [1] 69:13  <b>junior</b> [1] 23:13  <b>jurisdiction</b> [1] 74:5  <b>jurisdictions</b> [1] 74:19  <b>jury</b> [4] 7:2 81:21 89:21,22  <b>juvenile</b> [1] 76:5</p> <hr/> <p style="text-align: center;"><b>K</b></p> <p><b>kanawha</b> [1] 5:20  <b>keep</b> [11] 11:6 15:5 22:13 23:10,10 55:3 72:12 74:12 84:20 85:3 91:2  <b>keeping</b> [1] 23:9  <b>kept</b> [4] 33:22 34:4 72:24 74:9  <b>key</b> [7] 33:14,19 60:7 61:5 68:20,20 74:16  <b>kidney</b> [4] 62:24 65:15 69:20 92:19  <b>kids</b> [1] 67:13  <b>killing</b> [1] 51:16  <b>kind</b> [12] 11:5 16:2,7 26:9 33:20 35:8 38:24 65:13 74:22 76:16 91:24 98:12  <b>kinds</b> [2] 84:23 96:21  <b>knowing</b> [6] 26:10 32:5 83:7 95:7 98:5,6  <b>knowledge</b> [3] 15:2 36:23 54:14  <b>known</b> [3] 5:17 11:1 37:4  <b>knows</b> [2] 10:15 50:5  <b>kyle</b> [4] 3:15 57:4 81:7 88:13</p> <hr/> <p style="text-align: center;"><b>L</b></p> <p><b>lab</b> [14] 13:23 18:6 21:5,9,20 25:21,22,23,23 26:16 33:21 34:12,13 44:19  <b>labcorp</b> [4] 26:15 27:3 33:21 61:8  <b>laboratories</b> [4] 15:12 16:20 25:18,18  <b>laboratory</b> [5] 21:19 34:2 40:9 43:10,13  <b>laborious</b> [2] 68:20 75:1  <b>lack</b> [1] 86:10</p>	<p><b>laid</b> [3] 12:1 60:4 87:19  <b>language</b> [3] 85:18 96:19 102:7  <b>large</b> [8] 22:24 30:3 36:15,16 53:19 91:15 92:17 98:8  <b>largest</b> [2] 13:24 93:23  <b>larry</b> [4] 37:14 53:15 70:19 99:9  <b>last</b> [10] 8:10 18:15 49:23 50:24 56:21 63:4 68:12 70:6,20 71:7  <b>late</b> [1] 33:8  <b>later</b> [9] 8:13 14:11 20:13,22 27:24 64:1,12,13 74:3  <b>latest</b> [1] 69:3  <b>latter</b> [1] 40:20  <b>laurels</b> [1] 61:10  <b>lawful</b> [1] 73:18  <b>lawsuit</b> [4] 5:13,20 6:8 11:18  <b>lay</b> [2] 28:21 74:1  <b>leach</b> [2] 5:4,8  <b>lead</b> [2] 78:18 101:4  <b>leading</b> [3] 67:10 81:8 92:7  <b>learned</b> [1] 19:13  <b>least</b> [11] 7:12 14:10 17:2 25:12 36:14 54:13 56:4 85:18 86:8 89:8 95:2  <b>leave</b> [2] 46:8 84:2  <b>leaving</b> [1] 39:5  <b>left</b> [1] 51:6  <b>legal</b> [4] 15:9,12,21 28:6  <b>lengthy</b> [2] 28:10,20  <b>lesser</b> [1] 80:10  <b>letter</b> [2] 21:6,10  <b>leukemia</b> [1] 22:5  <b>level</b> [7] 43:22 49:12 58:24 59:2 81:19 85:16 87:13  <b>levels</b> [12] 41:2 45:17 46:19 58:12,17,17,19 60:6 61:14,15 63:9 80:17  <b>library</b> [1] 43:24  <b>life</b> [2] 62:9 65:11  <b>life-threatening</b> [2] 21:18 22:4  <b>light</b> [1] 64:4  <b>likely</b> [6] 7:20 41:4 46:15 58:17,24 76:11  <b>limit</b> [2] 13:17 35:4  <b>limitation</b> [1] 99:1  <b>limited</b> [4] 13:18 32:3 50:19 98:22  <b>limits</b> [1] 7:7  <b>line</b> [1] 66:6  <b>lines</b> [1] 9:8  <b>lineup</b> [1] 18:15  <b>lingo</b> [1] 45:9  <b>link</b> [43] 7:5,8,18,21 8:1 45:11,12,14 46:10,14,16 47:5 56:6 61:18 65:5 66:17,22 69:5 70:4,11,11 80:1 85:10,14 86:4,13,13 87:1,2,9 89:9,12 91:4 92:3 96:7,11,18,19,23 97:6,9,14,17  <b>linked</b> [6] 33:10 46:19 69:7,23 91:12,13  <b>links</b> [4] 48:8 58:11 69:3,4  <b>lipids</b> [1] 43:22  <b>list</b> [8] 20:22 28:10,21 61:6 75:10 76:20,22 91:8</p>	<p><b>listed</b> [2] 25:14 76:22  <b>listening</b> [1] 90:17  <b>literature</b> [6] 45:7 48:3 60:13 69:6,17 70:2  <b>litigated</b> [1] 6:15  <b>litigation</b> [5] 5:11 22:20 48:11  <b>little</b> [18] 12:8 20:22 22:16,21 24:4,4,22 25:4 28:15 30:2,24 43:9 45:15 68:18 75:14,19 76:1 87:8  <b>live</b> [4] 25:9,10 56:10 75:16  <b>lived</b> [3] 26:19 59:4,5  <b>liver</b> [5] 29:2 65:14 69:20 92:19,19  <b>lives</b> [2] 37:5 96:2  <b>living</b> [1] 12:16  <b>load</b> [1] 30:16  <b>local</b> [2] 5:14 23:22  <b>located</b> [4] 18:9 19:19 73:11,12  <b>locations</b> [1] 24:12  <b>lock</b> [2] 33:14,18  <b>lodge</b> [1] 23:14  <b>logistic</b> [1] 94:1  <b>london</b> [3] 74:11 90:4,8  <b>long</b> [15] 10:4 35:3 39:21 46:6 59:9,9,14,23 60:9 66:15 71:24 72:1 73:24 82:15 86:17  <b>long-term</b> [1] 36:13  <b>longer</b> [3] 33:3 45:3 87:22  <b>longest</b> [2] 53:11 92:10  <b>longitudinal</b> [1] 91:23  <b>look</b> [14] 12:1 16:3,4 21:9 24:5 32:22 35:11 42:6,14 44:1 67:8 74:15 79:20 86:16  <b>looked</b> [9] 15:24 16:1,5 24:8 28:10 29:20 43:10 76:23 85:6  <b>looking</b> [15] 6:2 14:10 23:12 29:19 40:22 80:11,11,11,15 86:12,23 91:20 92:6,16,20 94:21  <b>looks</b> [1] 74:22  <b>lose</b> [1] 95:22  <b>lost</b> [5] 17:7 28:15 93:5,10 94:18  <b>lot</b> [44] 11:12 15:2 16:3 17:4 18:12,24 19:12 22:1 23:2,6 24:18,21 25:2,6 27:3,17,19,20 28:10 29:23,24 30:11 32:7 33:3 37:19 47:14 49:7 53:21 61:6,7 63:16 65:4 69:20 73:24 74:4 76:19,22 77:2 82:22 83:18 88:7 94:7 96:1 99:10  <b>lots</b> [3] 23:20 42:14 43:15  <b>lou</b> [1] 28:23  <b>love</b> [1] 49:6  <b>low</b> [6] 21:16,16 22:3 61:15 99:6,7  <b>lower</b> [2] 14:15 58:12  <b>lubeck</b> [7] 5:21 6:14 12:8 17:12 22:15 24:10 30:24  <b>lunch</b> [1] 87:8  <b>luncheon</b> [1] 57:14  <b>lupus</b> [1] 29:3  <b>luxury</b> [1] 91:24</p> <hr/> <p style="text-align: center;"><b>M</b></p> <p><b>m.d</b> [1] 10:18</p>
---	---	---	---

<p><b>machine</b> <sup>[1]</sup> 34:15  <b>made</b> <sup>[10]</sup> 7:18 13:8 17:3 18:8,21 25:21 54:5,6 55:14 96:12  <b>magnified</b> <sup>[1]</sup> 83:4  <b>magnitude</b> <sup>[1]</sup> 36:22  <b>maher</b> <sup>[1]</sup> 11:14  <b>mailed</b> <sup>[2]</sup> 21:6 51:21  <b>main</b> <sup>[4]</sup> 58:13 79:19 86:24 93:18  <b>maintaining</b> <sup>[1]</sup> 71:2  <b>major</b> <sup>[7]</sup> 24:8 40:9 59:13,13 60:4 69:18,20  <b>male-female</b> <sup>[1]</sup> 41:12  <b>manage</b> <sup>[2]</sup> 17:5 44:8  <b>managed</b> <sup>[1]</sup> 88:19  <b>management</b> <sup>[3]</sup> 44:2,4 83:4  <b>managing</b> <sup>[3]</sup> 84:17 85:4 88:16  <b>manner</b> <sup>[1]</sup> 41:14  <b>manpower</b> <sup>[1]</sup> 26:18  <b>manufacturing</b> <sup>[1]</sup> 59:21  <b>many</b> <sup>[14]</sup> 5:19 12:15 26:2 30:12 42:20 54:1 56:1 69:19 70:4 81:17 83:6 84:13 85:19 86:15  <b>march</b> <sup>[1]</sup> 27:24  <b>marietta</b> <sup>[1]</sup> 36:2  <b>mark</b> <sup>[1]</sup> 52:4  <b>marked</b> <sup>[2]</sup> 52:6 102:16  <b>markers</b> <sup>[7]</sup> 33:5 37:4 65:14 91:20 92:6,18,23  <b>mason</b> <sup>[1]</sup> 12:9  <b>mass</b> <sup>[1]</sup> 42:2  <b>massive</b> <sup>[2]</sup> 54:3 83:2  <b>matched</b> <sup>[2]</sup> 62:20 63:7  <b>matter</b> <sup>[4]</sup> 5:4 55:17 80:24 89:9  <b>matter-of</b> <sup>[1]</sup> 16:8  <b>matters</b> <sup>[2]</sup> 28:7 86:11  <b>mean</b> <sup>[17]</sup> 8:24 9:8 12:5 13:22 21:13 36:19 44:7 46:14 67:24,24 81:2 82:21 84:8 86:24 94:7 96:19 98:14  <b>meaning</b> <sup>[1]</sup> 72:20  <b>meaningful</b> <sup>[1]</sup> 41:14  <b>means</b> <sup>[3]</sup> 48:19 68:15 99:3  <b>measure</b> <sup>[2]</sup> 65:22 77:13  <b>measured</b> <sup>[6]</sup> 61:8 64:14,15 65:1,14 67:12  <b>measurement</b> <sup>[3]</sup> 46:5,5 80:14  <b>measurements</b> <sup>[2]</sup> 62:16 77:11  <b>measures</b> <sup>[3]</sup> 81:9,10 92:24  <b>mechanics</b> <sup>[1]</sup> 16:6  <b>mechanisms</b> <sup>[2]</sup> 92:5 96:7  <b>media</b> <sup>[3]</sup> 23:5 27:23 28:14  <b>mediation</b> <sup>[1]</sup> 6:16  <b>medical</b> <sup>[95]</sup> 5:23 7:2,3,4,15,16,17 10:19 11:15 15:19 20:23 22:8 27:21 28:17 35:17,18 63:2,5 68:13,14,17 72:19,21,23 74:9,19,23 75:4 76:4 77:9 79:2 82:8 90:11,12 91:10  <b>medications</b> <sup>[1]</sup> 47:3  <b>medicine</b> <sup>[7]</sup> 32:17,18 43:24 65:7 74:12 79:15 90:9  <b>meet</b> <sup>[4]</sup> 19:19 71:15 88:17 97:7</p>	<p><b>meeting</b> <sup>[1]</sup> 71:13  <b>meetings</b> <sup>[5]</sup> 23:12,22 71:9,14,20  <b>meets</b> <sup>[1]</sup> 102:11  <b>meigs</b> <sup>[1]</sup> 23:15  <b>member</b> <sup>[1]</sup> 79:16  <b>member's</b> <sup>[1]</sup> 7:3  <b>members</b> <sup>[13]</sup> 7:17 8:19 46:17 62:17 70:18 71:9 77:20 85:6 92:7 95:1,4,19 99:23  <b>menstruation</b> <sup>[2]</sup> 43:13,14  <b>mention</b> <sup>[2]</sup> 37:20 67:2  <b>mentioned</b> <sup>[16]</sup> 22:12 27:1 33:20 40:6 43:16 44:18 62:9,10 63:3 66:19 67:4 68:11 74:14 76:12,18 85:9  <b>met</b> <sup>[3]</sup> 11:20 57:13 96:20  <b>methods</b> <sup>[1]</sup> 45:6  <b>mic</b> <sup>[1]</sup> 18:1  <b>microphone</b> <sup>[1]</sup> 18:2  <b>mid-course</b> <sup>[1]</sup> 28:12  <b>mid-ohio</b> <sup>[2]</sup> 37:8 39:16  <b>middle</b> <sup>[2]</sup> 23:13,17  <b>might</b> <sup>[16]</sup> 7:23 8:10 14:8 16:3 22:17 50:6 62:15 66:5 68:18,19 74:3 77:24 82:15 84:7,22 94:10  <b>military</b> <sup>[1]</sup> 28:17  <b>million</b> <sup>[17]</sup> 8:11 9:8 12:4,5 13:16 14:23 24:20 26:5 27:19 36:17,20 52:17,18 93:15 100:13,14,15  <b>millions</b> <sup>[1]</sup> 40:18  <b>minnesota</b> <sup>[1]</sup> 60:15  <b>minute</b> <sup>[1]</sup> 20:11  <b>mirror</b> <sup>[1]</sup> 22:18  <b>misinformation</b> <sup>[1]</sup> 21:2  <b>mistaken</b> <sup>[1]</sup> 67:13  <b>mix</b> <sup>[1]</sup> 84:6  <b>mixed</b> <sup>[1]</sup> 34:14  <b>mobile</b> <sup>[1]</sup> 17:15  <b>model</b> <sup>[1]</sup> 59:17  <b>modeled</b> <sup>[2]</sup> 41:7 42:10  <b>models</b> <sup>[1]</sup> 27:8  <b>modest</b> <sup>[1]</sup> 85:16  <b>modular</b> <sup>[1]</sup> 24:10  <b>moment</b> <sup>[4]</sup> 39:3 40:14 66:1 68:7  <b>momentum</b> <sup>[3]</sup> 15:5 17:7 22:12  <b>money</b> <sup>[10]</sup> 9:13 27:4,15 40:24 50:14 51:3,6 53:3 55:9 100:7  <b>money's</b> <sup>[1]</sup> 53:5  <b>monitoring</b> <sup>[4]</sup> 5:23 7:2,3,16  <b>month</b> <sup>[3]</sup> 6:18 31:7 39:19  <b>months</b> <sup>[7]</sup> 31:6 37:23 64:1 67:24 75:3 98:1,1  <b>moose</b> <sup>[1]</sup> 23:14  <b>morning</b> <sup>[2]</sup> 82:4,5  <b>most</b> <sup>[4]</sup> 22:1 45:23 75:3 80:24  <b>mount</b> <sup>[1]</sup> 79:15  <b>move</b> <sup>[4]</sup> 11:8 35:5 82:5 89:18  <b>moved</b> <sup>[4]</sup> 28:8 30:6 47:12 85:8  <b>moving</b> <sup>[5]</sup> 7:11 29:23,23 35:4 41:16  <b>much</b> <sup>[18]</sup> 11:6 13:22 14:16 16:12 47:13 51:6 58:5,7 59:20 60:12 62:</p>	<p>4 68:21 71:23 72:3 80:23 82:17 84:23,23  <b>multiple</b> <sup>[2]</sup> 29:4 85:4  <b>myself</b> <sup>[2]</sup> 11:13 15:16</p> <hr/> <p style="text-align: center;"><b>N</b></p> <hr/> <p><b>name</b> <sup>[6]</sup> 10:16 38:15 50:6 78:16 90:7,8  <b>named</b> <sup>[2]</sup> 6:10 8:7  <b>names</b> <sup>[2]</sup> 24:21 56:14  <b>naming</b> <sup>[1]</sup> 5:21  <b>narrow</b> <sup>[1]</sup> 7:22  <b>national</b> <sup>[5]</sup> 26:16 43:24 49:10 62:22 84:7  <b>nationwide</b> <sup>[1]</sup> 26:19  <b>nature</b> <sup>[2]</sup> 40:13 41:2  <b>near</b> <sup>[1]</sup> 39:11  <b>nearily</b> <sup>[2]</sup> 6:2 31:4  <b>necessarily</b> <sup>[2]</sup> 46:21 99:3  <b>necessary</b> <sup>[3]</sup> 5:22 10:3 73:18  <b>necessity</b> <sup>[2]</sup> 75:17 80:9  <b>need</b> <sup>[29]</sup> 17:7 45:15 58:3,15 59:2 60:8,11 61:1,2,12 62:12 64:9 68:12,20 73:2 74:2,9,13,14,14 75:9,10,18,22,23 77:8 85:3 92:1 94:13  <b>needed</b> <sup>[18]</sup> 17:13 18:7 23:1,8 24:13 27:6 28:3,6 31:5 44:4 62:12 73:7 76:2 80:16 83:15 84:20 87:22 94:12  <b>negative</b> <sup>[1]</sup> 99:11  <b>negotiate</b> <sup>[1]</sup> 14:9  <b>negotiated</b> <sup>[1]</sup> 93:11  <b>negotiations</b> <sup>[1]</sup> 14:12  <b>neither</b> <sup>[2]</sup> 56:22 102:8  <b>nemours</b> <sup>[1]</sup> 5:5  <b>nervous</b> <sup>[1]</sup> 43:9  <b>net</b> <sup>[1]</sup> 51:4  <b>neurodevelopment</b> <sup>[2]</sup> 67:8,14  <b>neutral</b> <sup>[1]</sup> 71:3  <b>neutrality</b> <sup>[1]</sup> 71:2  <b>never</b> <sup>[3]</sup> 22:23 30:20 96:20  <b>new</b> <sup>[4]</sup> 62:15 72:9 74:21 79:14  <b>next</b> <sup>[8]</sup> 14:14 22:7 27:14 37:23 38:8 40:5 56:12 68:19  <b>nhans</b> <sup>[1]</sup> 49:10  <b>nice</b> <sup>[1]</sup> 18:21  <b>nine</b> <sup>[4]</sup> 12:24 14:5 98:1 100:1  <b>nineteen</b> <sup>[1]</sup> 22:5  <b>ninety</b> <sup>[1]</sup> 98:15  <b>ninety-seven</b> <sup>[2]</sup> 36:7,8  <b>nods</b> <sup>[1]</sup> 57:18  <b>none</b> <sup>[5]</sup> 45:20 55:8 71:15,15 72:8  <b>nor</b> <sup>[5]</sup> 49:4 53:20 58:23 77:17 102:8  <b>normal</b> <sup>[1]</sup> 98:12  <b>north</b> <sup>[2]</sup> 17:14 79:6  <b>notably</b> <sup>[1]</sup> 45:17  <b>notary</b> <sup>[2]</sup> 102:4,23  <b>noted</b> <sup>[1]</sup> 47:9  <b>notes</b> <sup>[2]</sup> 11:5 28:14  <b>nothing</b> <sup>[5]</sup> 44:12 52:10 94:20 99:14,15</p>	<p><b>nothing's</b> <sup>[1]</sup> 15:3  <b>noticed</b> <sup>[1]</sup> 34:10  <b>notify</b> <sup>[1]</sup> 6:20  <b>notwithstanding</b> <sup>[1]</sup> 37:1  <b>november</b> <sup>[5]</sup> 6:21 11:22 13:21 15:8 31:9  <b>number</b> <sup>[32]</sup> 7:9 12:3,13,18,22 13:18 14:3 17:4,5,6 21:20,21 22:14 24:17 27:5 35:12,12,24 39:4 43:1,7,7 46:22 48:7 51:4,5 52:14 67:4 69:15 83:8 98:24 100:2  <b>numbers</b> <sup>[3]</sup> 13:23 14:12 20:13  <b>nurse</b> <sup>[4]</sup> 20:5,8,18 29:11  <b>nurses</b> <sup>[1]</sup> 25:15</p> <hr/> <p style="text-align: center;"><b>O</b></p> <hr/> <p><b>o'clock</b> <sup>[1]</sup> 18:16  <b>oath</b> <sup>[8]</sup> 10:11 38:9 50:1 55:23 57:4 66:23 78:11 89:24  <b>objection</b> <sup>[1]</sup> 54:24  <b>objective</b> <sup>[1]</sup> 91:3  <b>obligation</b> <sup>[1]</sup> 88:14  <b>obligations</b> <sup>[1]</sup> 84:4  <b>observation</b> <sup>[1]</sup> 40:7  <b>obstacles</b> <sup>[1]</sup> 86:8  <b>obstetrics</b> <sup>[1]</sup> 79:1  <b>obviously</b> <sup>[7]</sup> 13:17 28:11 36:16 81:24 84:12 87:10 94:24  <b>occurred</b> <sup>[1]</sup> 76:13  <b>october</b> <sup>[5]</sup> 6:18,20 31:8 63:23 64:1  <b>offer</b> <sup>[1]</sup> 28:4  <b>offered</b> <sup>[1]</sup> 98:12  <b>office</b> <sup>[6]</sup> 16:19,21 18:20 21:19 26:14 102:18  <b>official</b> <sup>[2]</sup> 102:3,22  <b>officially</b> <sup>[1]</sup> 32:9  <b>often</b> <sup>[5]</sup> 41:21 48:17 71:20 93:5 94:14  <b>ohio</b> <sup>[5]</sup> 5:15 31:1 62:21 68:16 73:2  <b>okay</b> <sup>[30]</sup> 10:1 11:5 33:24 34:20 38:1,4 39:6 45:8 46:18 52:5,7 57:1 72:8,12,16 77:18,21 78:17 80:21 87:12 88:1 89:16,17 90:8 97:4,11 99:16 101:9,14,16  <b>old</b> <sup>[4]</sup> 22:5 30:18 45:5 88:4  <b>on-site</b> <sup>[1]</sup> 25:21  <b>once</b> <sup>[11]</sup> 13:10 18:17 19:7,15,16,24 20:3 27:23 32:15 34:17 61:19  <b>one</b> <sup>[60]</sup> 7:15 8:21 9:4 10:22 12:3,12 13:1,19 17:6,7,14,14 18:15,15 20:9,22 22:4,14 23:17 25:12,16,19,20 29:12 33:5,11,20 34:2,12 36:18 37:20 46:22 48:14 53:11 57:12 64:10 67:5 69:15,19 73:18 76:5,18 77:8 79:10,19 80:1 87:6 88:7 90:21 91:1,4,7 92:16 93:9,17,18,21 98:23 99:1 100:22  <b>one's</b> <sup>[1]</sup> 77:13  <b>one-way</b> <sup>[1]</sup> 22:18  <b>one's</b> <sup>[1]</sup> 77:7</p>
---	---	--	---

<p>ones [6] 15:17 36:6 48:5 58:13 67:21 84:10                  ongoing [1] 54:2                  online [10] 16:14 19:5,6,7,8,14,16 26:20 32:23 95:8                  only [22] 7:18 18:22 23:20 33:14, 22,23 48:14 49:1 50:23 52:16 53:22 55:10 60:2,8 61:17 65:13 73:20 83:19 91:19 97:21 98:21 99:21                  onset [1] 76:5                  ooo [1] 101:19                  open [9] 27:22 32:8 33:15                  opened [1] 31:2                  opinion [1] 48:14                  opinions [2] 43:20 87:10                  opportunity [4] 27:3 48:23,24 72:22                  opposed [1] 14:1                  optic [1] 24:12                  optics [1] 16:22                  option [1] 16:15                  orc [1] 30:13                  order [17] 9:18 11:5,7 13:19 14:21 19:4 33:14 35:1 40:11 51:17 73:8, 10,13,16 74:2 75:13 97:7                  ordered [2] 6:16 13:20                  ordering [2] 73:9,13                  ordinarily [9] 30:1 35:10,16                  organ [1] 12:23                  organization [2] 10:22 39:7                  organize [1] 82:7                  originally [1] 74:6                  osteoarthritis [2] 29:10,13                  other [64] 3:14 7:24 14:13 20:9,13 24:11 25:13,20 27:2 28:2 29:12, 20,21 34:13 36:3 37:13 38:20 39:13 40:3 41:3,7 42:20,21 45:12 46:7 53:4,8,22 55:11,21 56:14 63:14 67:2,17 70:17 71:8 73:20,20 74:19,23 75:17 77:19,23 79:10 82:15 83:14 84:3,6,8,8,13 85:6,7,20 86:11,11,12,23 87:5 88:2 91:3 93:14 96:20 99:1                  others [6] 42:13,15,18 46:8 77:14 80:24                  otherwise [1] 53:24                  ought [1] 17:2                  ourselves [1] 87:12                  out [83] 6:24 11:17 12:1 14:20 15:6, 23 16:8,14 18:24 19:3,5,8,14 20:8 21:5,11,24 22:11,13,18,22,24 23:20,20 25:6,9,12 26:19 27:19 28:5 30:11,18 31:8 32:5 34:8,18,20 35:4,5,8,13,17 36:4 39:5,11,20 40:11, 13 42:9,22 44:15 48:1,7 51:4 54:14 58:10 59:17,18 60:9,13,23,24, 24 61:2,12,13 62:9,10,22 63:10 65:2,10,17 67:11 70:2 74:7 84:15 87:19 89:11 93:4 96:10 99:9 100:19                  outcome [2] 7:8 102:10                  outcomes [8] 42:16 43:7,10,11,11</p>	<p>66:15 69:6 92:16                  outlined [1] 87:5                  outset [1] 82:18                  outside [3] 74:17 82:2 84:21                  over [24] 5:16 7:6 11:18 21:7 23:9 25:3 27:15 29:8 37:6 44:5,6 45:24 51:6 60:12,22 61:15 62:11 63:12 69:2 70:20 71:7 87:8 94:10 100:14                  overcome [2] 68:1,2                  overtime [1] 59:21                  overwhelmed [1] 30:23                  own [1] 56:24</p> <hr/> <p style="text-align: center;"><b>P</b></p> <hr/> <p>packed [1] 23:17                  page [2] 6:5 31:5                  paid [3] 13:5 35:21 56:8                  painful [1] 29:16                  panel [40] 7:4,5,15,17,19 9:16,24 27:23 29:18 31:14 32:24 40:7 42:3,16,18 54:8 55:23 56:3,14,22,23 70:17 71:9 77:20 79:17 87:11 90:13 94:2,23 95:4,18 100:11,12                  panelist [1] 54:22                  panned [1] 25:12                  paper [8] 16:12,15 19:5,10 45:4,6 98:2,4                  papers [5] 43:1,7 46:24 48:4 97:15                  parkersburg [3] 25:3 51:19 102:18                  parking [4] 18:9,24 23:20 24:13                  part [15] 15:22 16:4,20 39:13 42:5 52:15 86:10 88:20 90:13 92:1 93:13 95:2 96:10 97:5 98:13                  partial [1] 82:20                  participant [11] 14:6 17:18 18:6 19:4 33:10,11 34:4 36:10 42:9 73:4,5                  participant's [1] 73:4                  participant's [1] 13:13                  participants [11] 13:5 18:23 23:24 27:8,10 35:22 51:21 74:21 75:10 80:18 98:12                  participate [4] 28:9 30:10 48:16 94:16                  participation [4] 23:11 39:9 98:18 99:7                  particles [2] 59:8,22                  particular [8] 29:14 38:21 42:7 46:16 77:8 92:13,18,24                  particularly [4] 22:2 32:20 74:5 92:3                  parties [10] 6:14,19 47:15 52:20 54:23 55:10 87:7 93:11 95:15 102:9                  parties' [1] 57:1                  partner [1] 52:19                  parts [3] 12:3 24:8 83:6                  party [2] 54:24 56:22</p>	<p>passed [1] 23:20                  past [4] 28:17 59:18 61:13 63:9                  patents [2] 91:14 92:22                  patient [3] 21:14 42:8 46:21                  patient's [1] 42:8                  patients [1] 47:4                  patsy [1] 15:18                  paul [8] 3:3 8:20 10:7,11,18 58:6 62:1 77:2                  pay [4] 27:10 54:20,21 93:14                  payment [1] 98:12                  pays [1] 54:20                  pecuniary [1] 102:9                  peer [17] 42:13 43:1 45:7 47:12 48:2,3 89:11 96:8,13,16,17,22 97:1, 12,12,13,24                  pending [1] 7:8                  penn [2] 44:18,21                  pennsylvania [2] 25:20 34:3                  people [83] 7:7,10 9:6 13:17 15:15 18:13,24 19:11,13 21:24 22:19,24 24:18 26:19 28:22 29:22,23 30:12, 13 31:4,11,23 32:4,21 33:21 34:9, 10 35:13 37:3,5 39:15,16 41:5 43:22 45:23 46:6 47:20 48:15,21 51:13 53:14 56:10 58:14,20 59:11 60:1,6,14,20,21 61:1,6,10,12 62:13 63:7,12 64:5,12,20,24 65:12 66:10,10 68:15 69:1 74:15 75:2 76:9, 19,21 81:17 84:13,16 88:7 91:18 92:23 94:19 95:21,21 100:1,2,6                  people's [1] 58:19                  per [2] 14:6 89:9                  percent [10] 17:3 35:23 36:5,7,7 84:24,24 86:17,18 98:15                  percentage [1] 19:6                  perfect [1] 29:17                  perfluorocarbon [6] 13:1 34:1,16 42:7,21 85:20                  perfluorocarbons [1] 48:23                  perfluorooctanoate [1] 5:16                  perfluorocarbon [2] 25:19 26:5                  perfluorocarbons [1] 27:2                  performed [1] 53:24                  perhaps [3] 56:14 57:15 80:23                  period [10] 14:24 19:23 23:9 25:11 26:23 33:1 35:3 36:22 37:6 60:22                  permanently [1] 17:16                  permission [1] 57:8                  persist [1] 87:22                  person [5] 15:20 22:8 25:17 34:6 51:8                  personal [3] 5:23 7:7 12:21                  personnel [1] 35:17                  persons [1] 73:19                  perspective [1] 81:20                  pertained [1] 15:14                  pertaining [1] 102:13                  pertinent [1] 23:3                  pfoa [32] 45:17 58:12,14,17,20,24 59:2 60:6,16 62:16 63:9,12 64:3,7, 8,16,19,22 65:1,5,16,16,22 66:2,3,</p>	<p>8 67:15,19,21 69:2,7,17                  phlebotomist [2] 25:15,16                  phonetically [1] 102:16                  photo [1] 17:20                  physician [4] 21:7 38:17 44:2,12                  physicians [3] 23:23 24:15 36:3                  pick [1] 29:13                  pick-up [1] 91:20                  picked [1] 29:11                  picture [2] 17:20 33:12                  piece [2] 26:4 70:8                  pipeline [1] 59:16                  pipelines [1] 60:4                  place [7] 11:19 18:15 23:19 26:21 47:8 49:11 61:9                  placed [2] 20:2 88:9                  places [2] 19:9 36:3                  placing [1] 5:16                  plains [1] 12:8                  plaintiff [1] 5:4                  plaintiff's [1] 52:6                  plaintiffs [5] 3:2 6:10 8:19 54:8 93:17                  plaintiffs' [4] 11:16 53:23 54:15 56:2                  plan [5] 55:21 69:12 82:23 89:8 94:16                  planned [1] 60:19                  planning [1] 83:16                  plant [4] 59:5,8,19 62:19                  plants [1] 60:15                  plate [2] 8:14 52:17                  plausibility [3] 92:2,5 96:6                  pleasant [5] 17:15 22:22 23:14 24:12 31:2                  please [4] 5:7 38:13 50:6 79:9                  plenty [2] 16:22 18:9                  plus [2] 12:7 63:1                  poetic [1] 89:22                  point [21] 5:11 17:15 22:22 23:14 24:11 31:2 35:8 42:8 44:7 48:2,7 51:20 58:10,10 59:12 61:17 80:8 83:5,7,19 93:4                  pointed [2] 6:23 99:9                  points [1] 53:8                  pomeroy [4] 12:9 17:14 23:15 31:1                  pomrey [1] 24:11                  pont [1] 5:4                  pop [1] 70:2                  population [25] 17:13 35:10,14,15 36:16,24 40:5 41:20 42:10,20 45:24 47:14,17 48:18 49:15 51:8 54:2 85:13 86:1 91:21 98:8,8,20,21 99:5                  population's [1] 47:13                  populations [3] 40:3 42:21 48:17                  portion [3] 8:19 9:14 11:17                  position [3] 53:13 79:5,13                  positive [1] 99:10                  positives [1] 76:9                  possibility [1] 14:11</p>
--	---	--	--

<p><b>possible</b> (6) 8:5,18 13:12 15:5 57:20 67:1  <b>possibly</b> (8) 12:15,16 13:16 16:10 20:16 51:8 69:7 81:2  <b>postage</b> (1) 51:21  <b>posted</b> (1) 54:11  <b>potassium</b> (1) 22:3  <b>potential</b> (1) 91:13  <b>potentially</b> (2) 21:18 69:17  <b>power</b> (1) 16:22  <b>practical</b> (1) 90:24  <b>preach</b> (1) 95:24  <b>precede</b> (1) 64:8  <b>precedes</b> (1) 64:9  <b>preceding</b> (1) 10:24  <b>precise</b> (1) 80:4  <b>precision</b> (1) 99:6  <b>predicted</b> (1) 41:1  <b>pregnancies</b> (1) 80:15  <b>pregnancy</b> (2) 28:18 29:4  <b>pregnant</b> (1) 80:18  <b>preliminary</b> (1) 6:22  <b>prepared</b> (6) 8:20,21 13:10,22 53:16 57:14  <b>presence</b> (1) 92:21  <b>present</b> (3) 52:23 53:14 73:6  <b>presentable</b> (1) 33:4  <b>presentation</b> (9) 23:22 56:22 57:15  <b>presented</b> (2) 3:14 14:2  <b>presiding</b> (1) 6:17  <b>pretty</b> (17) 12:18 14:12,12 19:14 20:12,16 22:6,19 30:3 32:10 36:12 62:4,6 76:15 77:5 82:17 100:19  <b>prevails</b> (1) 6:10  <b>previous</b> (2) 11:11 91:14  <b>previously</b> (3) 19:24 50:16 70:1  <b>price</b> (2) 14:14,15  <b>primarily</b> (1) 74:19  <b>primary</b> (1) 7:15  <b>principal</b> (3) 6:6,19 84:9  <b>principals</b> (3) 8:21 10:22 15:16  <b>print</b> (1) 23:5  <b>printed</b> (1) 23:6  <b>privacy</b> (1) 13:13  <b>private</b> (4) 12:7 21:7 59:6 60:1  <b>privately</b> (1) 71:16  <b>probable</b> (46) 7:5,8,18,21 8:1 45:11,12,14 46:10,14 47:5 56:6 58:11 61:18 65:5 66:17,22 69:3,4,5 70:4,11,11 80:1 85:10,14 86:4,13,13 87:1,2,9 89:9,12 91:4 92:3 96:7,11,18,19,23 97:6,9,14,17  <b>probably</b> (23) 12:14 13:24 14:8 16:3 25:12 29:8,9,10,13,14,20 30:1 32:15 36:13 37:5,18 39:19 44:6 45:19 64:13 69:23 73:11 92:13  <b>problem</b> (17) 15:1 18:1 22:9 29:2 34:18 40:12,23 41:2,4,17 44:8 55:15,16 64:5 75:7 101:5,8  <b>problems</b> (2) 83:3 99:5</p>	<p><b>procedural</b> (1) 14:17  <b>procedure</b> (2) 75:9,22  <b>procedures</b> (1) 24:16  <b>proceed</b> (1) 9:16  <b>proceeded</b> (1) 13:21  <b>proceedings</b> (4) 5:1 56:17 74:18 102:6  <b>process</b> (20) 8:2 15:24 17:9,22 18:13 19:4 20:11 25:1 27:3,6,7,10 28:6,12 68:20 82:10 83:13 91:16 95:19 98:1  <b>processed</b> (1) 19:12  <b>processes</b> (1) 82:11  <b>processing</b> (1) 16:20  <b>produce</b> (2) 73:3,13  <b>production</b> (2) 73:9,18  <b>profession</b> (4) 10:17 38:16 45:10 50:11  <b>professional</b> (1) 96:1  <b>professor</b> (1) 78:24  <b>prognose*</b> (1) 29:4  <b>program</b> (2) 62:3 97:24  <b>project</b> (30) 8:16,23 11:1,1 24:8,14 37:11 38:21 39:11,24 50:9 51:6 52:13,16 53:5 54:20 58:4,19,22 61:4,5,11 71:8 72:13 80:19 81:7 84:5,15,20 92:17  <b>projected</b> (1) 16:24  <b>projects</b> (3) 84:6,18 85:5  <b>promised</b> (1) 33:16  <b>promptly</b> (1) 19:15  <b>promulgated</b> (1) 102:13  <b>proper</b> (3) 17:21 34:16 48:11  <b>properly</b> (2) 88:12,20  <b>property</b> (1) 34:6  <b>proportion</b> (2) 84:20 91:18  <b>propose</b> (1) 56:13  <b>proposed</b> (1) 11:13  <b>prospectively</b> (1) 83:12  <b>protect</b> (1) 27:16  <b>protected</b> (2) 73:7,19  <b>protections</b> (1) 48:11  <b>prove</b> (3) 19:22 25:8 45:21  <b>proved</b> (1) 19:20  <b>provide</b> (10) 6:7 9:22 19:20 55:12 68:8,17 69:4 75:10 91:10 99:20  <b>provided</b> (3) 82:14 88:16 93:14  <b>provides</b> (1) 98:17  <b>provision</b> (1) 93:7  <b>public</b> (36) 5:22 7:12 9:9,11 13:10 15:2 20:3 24:15 33:16 37:24 39:14,22 47:17 49:13 50:12 53:4,9 54:9,15 55:13,15 59:5,10 60:1 68:7,8 73:23 83:10 86:5 87:17 88:10 94:17 95:5 96:12 102:4,23  <b>publication</b> (3) 96:8,23 98:2  <b>publications</b> (1) 48:6  <b>publicize</b> (1) 24:14  <b>publicly</b> (1) 39:17  <b>published</b> (5) 43:8 47:1 54:12 59:15 64:3  <b>pull</b> (1) 80:5</p>	<p><b>purchased</b> (1) 26:12  <b>purposes</b> (4) 48:10 73:21 93:16,18  <b>pursuant</b> (2) 7:1 53:23  <b>pursuing</b> (1) 85:24  <b>push</b> (1) 31:14  <b>pushing</b> (2) 16:12 85:4  <b>put</b> (22) 8:8 9:6 11:24 14:24 19:3,11 20:7 27:15 33:13 34:7 37:24 48:3 52:24 55:23 63:8 64:16,16 68:6 72:22 75:19 96:1 100:19  <b>putt</b> (1) 20:8  <b>putting</b> (1) 62:3</p> <hr/> <p style="text-align: center;"><b>Q</b></p> <p><b>qualified</b> (3) 20:4 32:7 88:12  <b>qualify</b> (4) 25:5 26:20 28:9 32:8  <b>quality</b> (10) 25:23 34:12 40:8,12 41:4,16 44:16 80:7 96:14 98:17  <b>question</b> (32) 20:10,15,15 22:22 29:14 37:16 44:7 49:9,12 56:7 57:2,20,22 61:18 63:19 64:17 65:3,4,23 66:6,8,22 69:11 73:24 76:14 87:12,18,23 88:7 94:22 96:3 102:15  <b>questioned</b> (1) 17:4  <b>questionnaire</b> (10) 13:6 16:14,20 18:18 19:13 20:6,19 21:1 31:8 94:11  <b>questionnaires</b> (6) 31:9,10,24 32:1,4,9  <b>questions</b> (30) 23:7 28:7 30:3 37:13,15 38:4 40:2 49:18,20 52:9 53:13 55:24 61:1 67:6 70:17 71:23 72:8 74:5 76:19 77:15,23 78:18 83:23 85:19 87:17,17 93:2 94:18 95:5 97:20  <b>quick</b> (1) 100:19  <b>quickly</b> (7) 24:19 26:18 39:17 40:11 57:23 58:7 61:3  <b>quite</b> (11) 7:9 8:8 16:8 20:14 28:4,9,9 32:11 59:6 76:11 83:3</p> <hr/> <p style="text-align: center;"><b>R</b></p> <p><b>radio</b> (1) 23:5  <b>raise</b> (2) 54:24 95:18  <b>raised</b> (1) 85:12  <b>raises</b> (1) 56:7  <b>rambling</b> (1) 11:6  <b>ramp</b> (1) 15:7  <b>ramps</b> (1) 18:8  <b>ran</b> (2) 22:6,23  <b>random</b> (1) 35:12  <b>range</b> (3) 14:4 26:7 67:10  <b>ranging</b> (1) 43:7  <b>rapidly</b> (1) 47:12  <b>rate</b> (5) 63:11 98:15,18 99:3,8  <b>rates</b> (3) 61:14 67:19,22  <b>rather</b> (3) 24:19 26:18 57:16  <b>re</b> (2) 41:6 66:19  <b>re-analysis</b> (1) 61:24  <b>re-analyzing</b> (1) 91:16  <b>re-cross</b> (2) 3:23 88:5</p>	<p><b>re-direct</b> (4) 3:9,24 47:6 88:24  <b>re-test</b> (5) 34:17,18 35:6 41:5,9  <b>re-testing</b> (2) 34:20 91:21  <b>reach</b> (1) 42:23  <b>reached</b> (3) 6:19 11:18,21  <b>reaching</b> (1) 85:10  <b>read</b> (6) 24:6 30:7 47:4 68:4,9,10  <b>readable</b> (1) 9:7  <b>reader's</b> (1) 76:16  <b>readily</b> (1) 19:8  <b>reading</b> (3) 6:6 30:8 46:13  <b>ready</b> (3) 30:8 89:18 92:11  <b>real</b> (9) 43:23,23 44:8 47:3,3,3 52:19 60:18 82:11  <b>real-time</b> (3) 16:19 33:1 54:7  <b>real-world</b> (1) 82:11  <b>really</b> (26) 12:17 21:9 23:16,21 24:19 25:6 28:5 30:6,15,20 31:20 35:5 43:12 45:20 46:11,24 48:1,1 61:9 73:16,24 82:23 86:4 91:19 95:16 100:10  <b>reason</b> (13) 6:6 9:23 35:24 40:8 41:3,4,23 49:3 61:22 64:21 67:5 95:20 97:21  <b>reasonable</b> (4) 25:8 44:22,23 85:17  <b>reasonably</b> (2) 32:22 44:5  <b>reasons</b> (4) 41:8 58:3 61:11 91:1  <b>recall</b> (1) 22:10  <b>receive</b> (2) 52:20,20  <b>received</b> (2) 21:4 31:9  <b>recent</b> (1) 43:14  <b>reception</b> (1) 17:18  <b>receptionist</b> (1) 19:20  <b>recess</b> (2) 56:16 57:11  <b>recognize</b> (3) 28:22,22 85:14  <b>recommendations</b> (1) 21:8  <b>recommended</b> (1) 75:9  <b>reconciliation</b> (1) 82:22  <b>reconstruct</b> (1) 60:4  <b>reconstructing</b> (1) 59:6  <b>reconstruction</b> (1) 62:11  <b>record</b> (20) 10:16 20:23 30:7 35:18 38:1,13 50:6 52:24 56:19 63:2,6 68:13,14 72:19,23 75:20 76:4 78:16 79:8 82:8  <b>recorded</b> (1) 11:12  <b>records</b> (22) 15:19 25:9,12 27:21 36:1,2,2,3 62:20 63:7 68:17 72:21,24 73:2,3,9,14,18 74:9,19 75:9,11  <b>reduced</b> (1) 76:21  <b>refer</b> (2) 11:5 69:17  <b>referred</b> (1) 97:16  <b>referring</b> (2) 66:17 97:2  <b>reflect</b> (4) 40:4 79:9 84:12 85:1  <b>reflected</b> (2) 6:2 42:9  <b>refusal</b> (1) 99:2  <b>regard</b> (10) 8:22 28:19 37:17 38:20,21 39:8,23 41:15 52:13 100:6  <b>region</b> (1) 48:21  <b>registered</b> (1) 99:22  <b>registry</b> (2) 62:21 67:23</p>
---	--	---	---

<p>regulations <sup>(1)</sup> 15:14                  reinvent <sup>(1)</sup> 29:21                  related <sup>(6)</sup> 53:5 64:6,18 65:22 66:2,3                  relates <sup>(9)</sup> 46:10 85:7 86:12,24 87:4                  relation <sup>(5)</sup> 58:11 92:17,21                  relationship <sup>(1)</sup> 67:14                  released <sup>(1)</sup> 14:23                  relevant <sup>(1)</sup> 80:6                  relief <sup>(1)</sup> 5:23                  rely <sup>(1)</sup> 92:4                  remaining <sup>(1)</sup> 51:1                  remember <sup>(6)</sup> 18:22 22:4 33:7,8 36:1 88:4                  remembered <sup>(1)</sup> 5:1                  renal <sup>(1)</sup> 62:23                  rental <sup>(1)</sup> 27:9                  repeated <sup>(2)</sup> 92:23,24                  repetitive <sup>(1)</sup> 19:2                  report <sup>(38)</sup> 8:10,10,20 9:14,19 11:3,4 35:22 40:16,19 50:13,23 52:2 53:7 55:7,12 56:11 63:2,10,17,23 64:2 68:5,6,6 69:3,4 70:9,10 71:5,11 76:9,10,11 98:3 99:20 100:5,13                  reported <sup>(24)</sup> 20:22,24 28:20 31:12 34:12 35:19 36:8,10 41:14 42:12,23 43:12 45:4,6 47:23 52:13 53:9 58:21 61:7 62:14 63:20 91:13 99:24 102:6                  reporter <sup>(3)</sup> 102:3,4,22                  reports <sup>(10)</sup> 50:16,18 51:2 68:10,23 69:15 70:4 89:7,12 96:12                  repository <sup>(1)</sup> 55:2                  represent <sup>(1)</sup> 12:22                  representative <sup>(2)</sup> 35:11 99:4                  represents <sup>(1)</sup> 56:23                  reproduction <sup>(1)</sup> 66:22                  reproductive <sup>(4)</sup> 66:18 79:20,22 81:9                  request <sup>(5)</sup> 39:10,13 54:23 55:18 100:24                  requesting <sup>(2)</sup> 5:22 6:22                  requests <sup>(4)</sup> 52:21 54:22 55:6 68:18                  require <sup>(2)</sup> 45:22 96:7                  required <sup>(3)</sup> 13:11,24 17:20                  requirements <sup>(3)</sup> 12:20 25:1 102:12                  rescheduling <sup>(1)</sup> 55:21                  research <sup>(5)</sup> 38:22 39:4 62:2 82:10 85:22                  researcher <sup>(1)</sup> 35:1                  residential <sup>(2)</sup> 59:4,7                  residents <sup>(4)</sup> 28:7 62:20 66:21 80:19                  resolution <sup>(1)</sup> 44:24                  resolved <sup>(3)</sup> 44:24 45:2 75:7                  resource <sup>(2)</sup> 49:8 81:9                  resources <sup>(2)</sup> 13:14 32:3                  response <sup>(2)</sup> 57:12 98:15</p>	<p>responsibilities <sup>(1)</sup> 84:6                  responsibility <sup>(1)</sup> 91:1                  responsible <sup>(2)</sup> 39:14 84:10                  rest <sup>(2)</sup> 10:2 61:10                  restriction <sup>(1)</sup> 35:1                  restrictive <sup>(1)</sup> 34:22                  result <sup>(1)</sup> 33:17                  results <sup>(9)</sup> 21:6 26:1 34:11 35:13 42:23 47:22 81:18 96:15 97:3                  retired <sup>(1)</sup> 11:14                  return <sup>(1)</sup> 73:8                  reverse <sup>(1)</sup> 16:2                  review <sup>(19)</sup> 39:11 42:13 43:1 45:7 47:12 48:2,3 74:10 88:15 96:8,13,17,17,22 97:2,12,13,13,24                  reviewed <sup>(1)</sup> 89:12                  reviewing <sup>(1)</sup> 93:6                  rheumatoid <sup>(2)</sup> 29:6,9                  rich <sup>(1)</sup> 48:20                  rick <sup>(1)</sup> 15:21                  rights <sup>(1)</sup> 7:3                  rises <sup>(1)</sup> 5:15                  risk <sup>(4)</sup> 26:9 43:21 46:4 66:4                  river <sup>(2)</sup> 59:19,20                  rn <sup>(1)</sup> 15:18                  road <sup>(2)</sup> 22:20 89:10                  robert <sup>(3)</sup> 3:11 50:1,7                  role <sup>(1)</sup> 40:20                  roles <sup>(1)</sup> 39:18                  roll <sup>(1)</sup> 39:20                  rolled <sup>(1)</sup> 39:11                  room <sup>(5)</sup> 5:19 18:22 23:20 50:5 78:1                  rounds <sup>(1)</sup> 40:22                  routinely <sup>(1)</sup> 36:14                  rule <sup>(1)</sup> 44:15                  rules <sup>(1)</sup> 102:13                  run <sup>(8)</sup> 21:19 26:4,7,8,10 33:23 34:15 64:20                  running <sup>(3)</sup> 24:18 82:16 83:7                  runs <sup>(1)</sup> 6:8</p> <hr/> <p style="text-align: center;"><b>S</b></p> <hr/> <p>safe <sup>(3)</sup> 44:6 58:4,21                  safeguards <sup>(1)</sup> 13:13                  samaritan <sup>(1)</sup> 51:19                  same <sup>(6)</sup> 46:3 65:3 73:19 81:9 88:10 102:10                  sample <sup>(6)</sup> 20:20 34:23 91:21 98:21,23 99:3                  sampled <sup>(1)</sup> 98:9                  samples <sup>(3)</sup> 30:9 45:3 98:22                  save <sup>(2)</sup> 27:4 34:2                  saved <sup>(1)</sup> 37:5                  savitz <sup>(9)</sup> 3:19 77:22 78:2,2,7,9,12,17 90:17                  saw <sup>(2)</sup> 15:1 93:7                  saying <sup>(1)</sup> 21:20                  says <sup>(3)</sup> 6:6 46:13 76:3                  scale <sup>(1)</sup> 83:3                  scanned <sup>(1)</sup> 33:13                  schedule <sup>(2)</sup> 18:11,20</p>	<p>scheduled <sup>(4)</sup> 6:18,22 55:20 63:17                  scheduling <sup>(1)</sup> 27:7                  school <sup>(10)</sup> 23:14,15,17 25:9,10,12 32:18 74:12 79:15 90:9                  science <sup>(38)</sup> 7:5,19 9:15,24 27:23 29:18 31:14 32:24 40:7 42:19 43:2,3,4 45:10 46:12 49:16 52:21 53:3,16,18 54:8 55:23 56:3,14,22,23 70:17 71:9 79:16 85:16 87:11 90:13 94:2,23 95:4,18 100:11,12                  scientific <sup>(14)</sup> 7:19 40:15 46:15 49:9 54:12 58:10 61:17 85:24 87:17 89:3,7,12 91:24 96:16                  scientifically <sup>(1)</sup> 8:5                  scientists <sup>(2)</sup> 49:2 93:22                  scleroderma <sup>(1)</sup> 29:6                  sclerosis <sup>(1)</sup> 29:4                  scope <sup>(1)</sup> 48:10                  screen <sup>(1)</sup> 20:6                  se <sup>(1)</sup> 89:9                  seal <sup>(1)</sup> 102:18                  sealed <sup>(1)</sup> 75:10                  search <sup>(1)</sup> 44:3                  seated <sup>(1)</sup> 5:7                  second <sup>(4)</sup> 8:4 48:24 60:8 78:4                  secret <sup>(2)</sup> 98:10 101:11                  section <sup>(1)</sup> 92:16                  securing <sup>(1)</sup> 72:23                  security <sup>(2)</sup> 17:17 27:17                  see <sup>(24)</sup> 15:17 18:21 28:1 29:18 34:24 44:3 46:2,2 47:21,24 49:6 55:16 56:8 60:24 62:23 64:5 67:18 84:21 86:12 91:16,21 95:11 98:3 100:16                  seeing <sup>(2)</sup> 31:12 64:24                  seem <sup>(1)</sup> 84:22                  seemed <sup>(1)</sup> 22:21                  seems <sup>(1)</sup> 56:4                  seen <sup>(2)</sup> 22:8 96:20                  select <sup>(2)</sup> 35:11 56:14                  selected <sup>(2)</sup> 25:21 70:18                  self <sup>(3)</sup> 61:6 63:1 68:22                  send <sup>(1)</sup> 26:21                  sensation <sup>(1)</sup> 43:14                  sense <sup>(7)</sup> 41:21 42:18,19 72:8 80:12 81:8 94:6                  sent <sup>(2)</sup> 21:10 54:14                  separate <sup>(2)</sup> 42:22 95:10                  separated <sup>(1)</sup> 41:11                  separately <sup>(3)</sup> 8:10 65:10 71:15                  separating <sup>(1)</sup> 89:11                  september <sup>(7)</sup> 11:8,13 16:24 31:1,3 63:5 68:13                  sequence <sup>(2)</sup> 41:6 83:8                  serious <sup>(3)</sup> 69:2,5 84:18                  serum <sup>(12)</sup> 33:20,22 34:2,4,7,19,21 36:13 41:7,9 42:8 59:2                  serve <sup>(3)</sup> 48:9,15 95:23                  servers <sup>(1)</sup> 30:15                  service <sup>(1)</sup> 5:22                  serving <sup>(2)</sup> 40:3 47:17</p>	<p>sessions <sup>(1)</sup> 23:16                  set <sup>(19)</sup> 9:23 15:23 17:6,11,16 24:9 38:4 49:14 83:1,2 90:22,22 91:15 92:17,18 94:13 95:4 98:17 102:17                  set-up <sup>(1)</sup> 27:8                  sets <sup>(1)</sup> 49:15                  settlement <sup>(22)</sup> 6:3,7,8,14,19 7:1,14 8:3,15 11:17,21 13:4,21 15:1 50:10 71:2 93:6,9,10,13,19,20                  settling <sup>(2)</sup> 28:6 87:7                  seven <sup>(8)</sup> 8:12 26:22,22                  seventy <sup>(10)</sup> 5:14 12:3 13:15 14:22 24:20 27:19 31:24 32:2,12 93:15                  seventy-five <sup>(1)</sup> 17:3                  seventy-three <sup>(1)</sup> 31:5                  seventy-two <sup>(1)</sup> 13:3                  several <sup>(10)</sup> 6:15 9:7 21:15 37:3,5 40:22 58:3 66:19 67:17 74:23                  shadow <sup>(2)</sup> 45:16,21                  shall <sup>(2)</sup> 46:14 78:15                  share <sup>(2)</sup> 94:15,22                  shifted <sup>(1)</sup> 41:3                  shifts <sup>(2)</sup> 91:18 92:24                  shooting <sup>(1)</sup> 31:24                  short <sup>(3)</sup> 36:22 55:22 57:15 94:8,15                  show <sup>(3)</sup> 15:4 27:13 63:11                  showed <sup>(3)</sup> 8:11 20:12 21:9                  shown <sup>(1)</sup> 60:21                  shuffle <sup>(1)</sup> 93:5                  shut <sup>(1)</sup> 30:16                  sic <sup>(1)</sup> 93:24                  sick <sup>(2)</sup> 60:7 63:13                  side <sup>(3)</sup> 43:23 47:3 55:24                  sides <sup>(3)</sup> 71:1,10,14                  sign <sup>(2)</sup> 19:24 75:2                  signed <sup>(1)</sup> 31:11                  similar <sup>(5)</sup> 62:14 77:12 79:5,13 94:1                  similarities <sup>(1)</sup> 84:9                  simply <sup>(3)</sup> 49:13 80:24 82:12                  sinai <sup>(1)</sup> 79:15                  since <sup>(7)</sup> 6:1 9:15 32:16 56:22 62:15 70:20 71:7                  single <sup>(1)</sup> 24:11                  sir <sup>(2)</sup> 50:15 88:23                  site <sup>(1)</sup> 31:2                  sites <sup>(2)</sup> 16:21 25:16                  sitting <sup>(2)</sup> 70:8 90:16                  six <sup>(12)</sup> 5:14 8:6 12:6,10 24:9 26:6 31:3,13 70:20 71:7 81:21 98:1                  sixteen <sup>(1)</sup> 8:12                  sixty <sup>(7)</sup> 12:14 14:9 17:3 18:13 26:11 31:13 99:24                  sixty-five <sup>(3)</sup> 35:23 36:5,6                  sixty-nine <sup>(4)</sup> 32:11,11 34:4 100:1                  sixty-seven <sup>(1)</sup> 31:23                  sixty-three <sup>(1)</sup> 12:24                  sixty-two <sup>(1)</sup> 8:13                  size <sup>(1)</sup> 99:5                  sjogren's <sup>(1)</sup> 29:7</p>
---	---	--	--

<p>slowed [1] 19:11                      small [1] 29:5                      smaller [1] 98:24                      smarter [2] 74:1,4                      smoked [1] 30:19                      smoking [1] 42:3                      software [1] 30:17                      sole [1] 91:1                      solved [1] 99:4                      somebody [5] 35:1 39:7 44:4,11 60:10                      somehow [3] 48:24 89:10 95:22                      someone [2] 39:5 59:1                      sometime [3] 27:24 80:3,4                      sometimes [5] 35:6 36:10 42:12 85:4 93:10                      somewhat [1] 29:22                      somewhere [4] 11:23 17:8 26:6 31:6                      soon [12] 13:11 15:5 19:13 21:18 27:12 57:20 68:8 70:11,12 96:12, 12 97:8                      sorry [3] 31:22 83:23 92:19                      sort [6] 15:7 37:21 41:12,13 86:15 89:11                      soundproof [2] 18:4 20:5                      source [1] 48:16                      sources [3] 63:1,8 83:17                      space [1] 36:4                      spasm [1] 29:5                      speaking [3] 75:18 81:3 98:20                      special [3] 25:17 26:4 75:5                      specialist [1] 15:19                      specialists [1] 9:4                      specially [1] 18:5                      specific [6] 66:24 87:6 91:7 97:2,3, 15                      specifically [3] 66:17 77:23 89:8                      specifics [1] 82:13                      specimens [1] 30:5                      spectrophotometers [1] 26:10                      speculate [1] 44:14                      speed [1] 16:10                      spelled [2] 25:6 102:15                      spellings [1] 102:15                      spend [2] 13:16 86:18                      spending [1] 86:17                      spends [1] 52:22                      spent [6] 27:17 53:4 55:10,10,19 59:6                      spin [1] 33:22                      spoke [1] 87:7                      spoken [1] 58:3                      spots [2] 23:5,5                      st [1] 11:15                      stacy [2] 102:3,21                      staff [3] 53:22 67:23 84:15                      stand [7] 43:9 56:24 58:9,10 59:17 61:17 89:23                      standard [4] 7:20,21 30:3 34:14                      standardization [1] 34:16                      standardized [1] 34:15</p>	<p>standards [4] 49:4 83:2 88:17,18                      standing [1] 23:19                      standpoint [1] 66:6                      start [9] 15:7,24 17:9 24:19 57:12, 16 61:9 78:15 83:6                      started [5] 17:21 24:5 28:14 47:16 57:14                      starting [2] 11:7 59:21                      state [16] 10:16 15:14 25:20,22 34: 3 44:18,19,22 50:6 68:16 73:10 78:16 90:7 102:1,4,12                      statement [1] 57:15                      states [1] 62:23                      statins [1] 65:9                      stations [1] 18:7                      statistical [4] 97:2,13 98:22 99:6                      status [15] 5:9,11 7:11 37:7 39:16 63:23 68:6,6,10 70:4,9 96:12 98:3 99:20 100:5                      stayed [2] 7:8 21:23                      steenland [10] 3:15 57:5,10 70:16 72:19 80:8 81:8 82:14 90:17,24                      step [1] 81:24                      stepped [3] 8:14 52:17 56:1                      still [6] 10:20 23:18 31:24 34:20,21 71:4 85:19 94:10                      stipend [1] 13:7                      stop [1] 32:1                      storage [1] 36:13                      stored [4] 33:23 34:21 35:3 41:10                      straightened [1] 30:18                      strategically [1] 18:9                      strength [1] 46:20                      strengths [1] 58:4                      stretch [1] 16:17                      strict [1] 14:12                      strictly [2] 71:2,3                      stroke [2] 28:24 69:18                      strongly [1] 95:24                      students [2] 39:5 84:18                      studied [4] 36:24 37:7,19 48:22                      studies [30] 12:6 43:15 45:21,23 53:8 54:1,1,3,14 61:20,21 64:11 66:16,18,18 67:2,3,5,17 68:3,9 70: 22,23,23 88:11,12 90:23 92:13,15 93:21                      study [22] 8:1 35:14 41:19 42:15 48:12 53:10 60:19 61:23 62:9,10 64:11 65:12,12 67:5,7,18 87:13 92:20 98:7,13,16,20                      stuff [2] 61:7 65:15                      stuffed [1] 21:10                      subclinical [1] 91:19                      subdivided [1] 90:24                      subject [3] 82:9 88:15,19                      submit [2] 55:12 89:3                      submitted [5] 31:5,23 50:16 54:22 96:13                      subpoenaed [3] 8:20 9:10 10:5                      subsequent [1] 98:4                      subsequently [2] 23:12 34:3                      substantive [1] 39:13</p>	<p>successful [2] 16:17 24:2                      sudden [1] 93:22                      sufficient [5] 70:7 76:17 80:6 87: 13 97:8                      sugar [5] 21:15,22 26:15 29:2 76:7                      suggest [1] 95:3                      suggested [1] 16:16                      suggestions [1] 60:2                      summarize [3] 5:11 28:15 69:22                      summarizing [1] 37:22                      summary [3] 24:4,4 36:15                      supervising [1] 85:3                      supplementary [1] 92:4                      supply [1] 59:20                      supporting [1] 89:4                      supposed [2] 40:18 53:12                      supreme [2] 6:15 102:13                      surprises [1] 71:12                      survey [9] 12:21 19:5 24:23 27:7 28:16 30:1 35:16 39:19 40:3                      surveys [2] 27:11 29:20 30:13                      susan [1] 15:19                      suspected [1] 70:2                      swear [1] 66:24                      swing [1] 31:3                      sympathetic [1] 81:23                      syndrome [3] 29:1,7 77:6                      synthesize [1] 91:6                      system [15] 15:12 16:1,5,6,7 18:17, 19 27:13 30:23 59:11 62:23 65:2 77:1,3,11                      systems [2] 12:23 15:20</p>	<p>testing [11] 25:19 26:23 30:23 31: 2,11 32:10 34:1 44:19 45:11 67:8, 9                      tests [10] 12:22,24 13:1,23 21:13 26:8,11 27:2 37:2 67:15                      that'll [1] 9:22                      that's [3] 31:6 66:23 95:10                      themselves [1] 17:19                      there's [49] 11:12 17:2 18:1 21:2 25:6 27:19 33:15 34:20 35:1 36: 16 42:14 45:19 46:7,23 47:14 48: 16,18 49:3,12 55:8 58:9,12,13 61: 22,22 65:5 67:14,17 69:5 70:3 77: 23 82:10,22 83:7 84:9,19 85:9 86: 12 89:8,22 91:15,22,22 98:23,24 101:5,10,10,11                      there've [1] 71:12                      there's [2] 61:21 76:4                      therefore [3] 64:10 92:2 99:6                      thereof [1] 102:17                      thereto [1] 102:13                      they'll [2] 70:5 101:1                      they've [9] 67:23 94:14 95:2                      thinking [1] 75:6                      third [2] 8:15 92:22                      thirty [8] 24:17 62:17,20 66:9,20 84:24 98:16 100:14                      thirty-five [4] 20:11 22:10 31:4 63: 6                      thirty-one [1] 51:11                      thirty-six [2] 35:22 36:5                      thompson [1] 50:20                      though [3] 31:21 82:15 83:11                      thousand [49] 5:14 8:12 12:5,14, 15,19 14:9,13 17:2 18:13 26:6,11 30:21 31:4,7,9,10,23 32:2,11 34:4, 9,19 35:22 36:5 44:5,6,20 51:5,11, 13 62:17,18,20 63:6 66:9,10,20,21 68:14 99:22,24 100:1                      three [19] 6:9 7:14 17:8 18:13 26:3 30:16 31:6,12 39:3 49:2 55:21 60: 16 61:20 64:12,13 68:18 70:6 92: 15 93:8                      throughout [1] 26:23                      thyroid [6] 29:7 91:8,9,18,19 92: 18                      tickets [1] 25:14                      tied [3] 16:18,21 19:9                      till [2] 18:16 24:20                      timeframe [2] 39:20 62:6                      timeline [3] 17:6 81:24 100:18                      timelines [1] 14:17                      tip [1] 53:21                      tired [1] 94:19                      tissue [3] 29:3 34:5,21                      to-wit [9] 5:6 10:12 38:10 50:2 56: 17 57:5 78:12 90:1 102:2                      tobacco [1] 28:19                      today [4] 56:9 57:14 81:16 82:5                      together [11] 8:8 9:7 56:2 62:4 63: 8 64:20 68:24 71:14 80:5 91:5 92: 9</p>
---	--	--	---

**T**

tabulated [2] 55:3,7  
 tailor-make [1] 29:22  
 tailored [1] 30:2  
 take-up [1] 98:14  
 talked [3] 19:23 29:11 87:8  
 tax [1] 25:14  
 teaching [1] 84:18  
 team [13] 15:8,16,23 37:9 38:22,24 39:2 51:7 79:10 81:1 90:22 91:3,5  
 technical [3] 25:17 53:22 56:6  
 technology [3] 16:9,13 24:24  
 telephone [1] 71:21  
 tells [1] 60:15  
 tempt [1] 87:18  
 ten [6] 5:21 6:2 14:14 25:5 44:6 51: 14 52:16 98:11  
 term [4] 27:18 30:18 45:14 46:12  
 terms [3] 68:21 87:19 93:21  
 terribly [1] 22:12  
 test [12] 12:21 13:14,16,18 14:4 25: 16 26:5,10 32:5 35:12 41:7 43:13  
 tested [5] 12:16 13:2 33:21 34:9 41:6  
 testified [6] 10:12 38:10 50:2 57:5 78:12 90:1  
 testify [1] 75:16  
 testimony [5] 11:11 14:16,19 55: 24 63:20

<p><b>tomorrow</b> [2] 27:15 56:9  <b>tony</b> [4] 4:2 63:22 65:19 89:24 90:8  <b>took</b> [9] 19:12 20:5 29:17 33:3 59:9,9,23 79:13 83:5  <b>top</b> [2] 53:19 77:7  <b>topics</b> [1] 74:1  <b>total</b> [10] 12:12,17,24 13:2,8 14:5 24:17 25:13 32:10 55:7  <b>totally</b> [1] 77:7  <b>totals</b> [1] 55:3  <b>touched</b> [2] 24:14 44:17  <b>town</b> [1] 23:12  <b>toxicologist</b> [1] 9:6  <b>trailers</b> [1] 17:15  <b>train</b> [2] 24:15,19  <b>training</b> [3] 15:19 24:16,20  <b>transactions</b> [1] 51:3  <b>transcript</b> [2] 102:6,11  <b>transferred</b> [1] 34:5  <b>translated</b> [1] 102:7  <b>traveled</b> [1] 19:16  <b>treat</b> [2] 46:18 47:2  <b>trend</b> [1] 91:22  <b>trial</b> [2] 6:17,18  <b>tried</b> [3] 19:18 22:13 71:11  <b>tropical</b> [2] 74:12 90:9  <b>trouble</b> [1] 22:6  <b>troy</b> [1] 15:20  <b>true</b> [4] 74:23 78:7 80:12 102:5  <b>truly</b> [2] 82:3,8  <b>try</b> [14] 14:9 16:4 20:8,9 25:7 28:7 29:18 43:5 63:9 68:8 74:18 75:23 84:19 86:4  <b>trying</b> [7] 51:7,20 58:10 84:1 87:15 89:15 96:2  <b>tuppers</b> [1] 12:8  <b>turned</b> [5] 28:5 34:8 35:5,17 94:10  <b>turns</b> [1] 74:7  <b>tv</b> [2] 23:5 30:11  <b>tweak</b> [1] 29:19  <b>twelve</b> [5] 8:11,12 31:7 52:18 67:10  <b>twenty</b> [10] 12:4,5,15 14:24 30:21 44:19 68:14 84:24 86:17 98:16  <b>twenty-five</b> [5] 14:13 34:9,9,19 60:14  <b>twenty-two</b> [1] 8:11  <b>twice</b> [1] 62:19  <b>two</b> [33] 5:15 8:11,12,21 10:22 13:7 17:12,12 18:6 21:5 23:16 24:11 25:18 26:7,8 30:21,22 39:18,19 43:14 46:7 48:13 51:2 58:13 60:19,21 61:23 64:12 70:17 77:12 85:6 95:4 98:23  <b>two-step</b> [1] 8:2  <b>type</b> [4] 25:17 26:18 34:22 73:22  <b>types</b> [2] 41:12 49:14</p> <hr/> <p style="text-align: center;"><b>U</b></p> <p><b>ultimately</b> [3] 5:17 82:20 84:9  <b>unable</b> [1] 32:5</p>	<p><b>unbelievable</b> [2] 36:20,23  <b>uncertainty</b> [1] 98:24  <b>uncommon</b> [1] 82:1  <b>under</b> [12] 11:24 33:13,18 34:22 43:19 46:13 48:11 55:23 66:23 82:3 84:4 87:19  <b>understand</b> [20] 20:10 40:17 42:16 45:8 50:17 57:19 64:21 72:5 81:19,22 84:1 85:18 86:14,19 87:20,23 94:21 95:2 96:16 101:13  <b>understandably</b> [1] 83:5  <b>understanding</b> [4] 9:23 42:12 85:15 94:13  <b>understood</b> [4] 20:14,14 23:24 56:20  <b>undertake</b> [1] 37:11  <b>unexpected</b> [1] 70:1  <b>unique</b> [7] 6:7 16:7 35:9,20 42:19 48:21 98:10  <b>unit</b> [2] 19:17,18  <b>united</b> [1] 62:23  <b>units</b> [8] 17:10,11,12,15 18:4,23 24:10 31:13  <b>universally</b> [1] 86:5  <b>university</b> [29] 9:5,9 32:18 34:6 38:18,23 39:23 40:10,14 41:10 43:2,3,4,6 47:9,10,21 51:10 68:16 73:2 74:11,11 78:3,21 79:5,6 84:3,3  <b>unless</b> [1] 77:23  <b>unsure</b> [1] 56:6  <b>unsuspected</b> [1] 37:3  <b>until</b> [12] 21:23 27:24 30:17 70:10 72:5 82:16,20 83:1,7,9,14 92:10  <b>unusual</b> [1] 83:3  <b>up</b> [37] 8:5,14 9:4 11:20 16:17 17:11,16 19:10 20:12 22:7 23:8,10 24:9,17 25:7 28:11 29:11,13 31:11 32:8 35:3 47:17 48:4 52:17 54:12 56:1 60:21 64:23 75:15 80:22 82:4,5 88:7 95:4 97:18,21,24  <b>up-to-date</b> [2] 55:18,19  <b>update</b> [1] 27:14  <b>uric</b> [10] 29:15 43:8 45:13,16,19 46:19,21,22 65:16,21  <b>usage</b> [2] 28:16 29:22  <b>useful</b> [2] 90:19 95:14  <b>uses</b> [1] 45:14  <b>using</b> [2] 7:19 65:20  <b>usual</b> [1] 86:16  <b>utilities</b> [3] 17:16 24:13 27:9</p> <hr/> <p style="text-align: center;"><b>V</b></p> <p><b>va</b> [1] 68:16  <b>vaguer</b> [1] 77:4  <b>validate</b> [5] 35:23,24 36:7 68:22 74:15  <b>validated</b> [2] 77:2 91:13  <b>validation</b> [7] 63:2,6 68:13,14 72:19 77:9 91:10  <b>validity</b> [4] 27:6 73:12 96:15 98:22  <b>valley</b> [2] 37:8 39:16  <b>values</b> [6] 21:12,13,15,17 22:11</p>	<p>33:23  <b>vancouver</b> [2] 25:19,22  <b>vans</b> [1] 67:11  <b>variance</b> [1] 13:20  <b>varied</b> [1] 25:2  <b>variety</b> [3] 41:8 43:10 63:7  <b>various</b> [2] 15:11 87:4  <b>varying</b> [1] 87:10  <b>vascular</b> [1] 28:24  <b>vendors</b> [4] 14:10 15:11 16:18 27:10  <b>venue</b> [1] 22:24  <b>verdict</b> [2] 7:2 81:21  <b>verification</b> [3] 35:19,19 36:11  <b>verified</b> [5] 20:7,12 28:16 35:16 36:9  <b>verify</b> [2] 20:23 27:21  <b>verifying</b> [1] 20:19  <b>vermont</b> [1] 30:13  <b>version</b> [1] 76:16  <b>versus</b> [3] 5:4,8 41:24  <b>view</b> [2] 61:9 69:24  <b>village</b> [1] 12:9  <b>virginia</b> [30] 5:3,15,20 6:15 9:5,9 32:18 34:5 38:17,23 39:23 40:10,14 41:10 43:2,3,4,6 47:8,10,21 51:10,19 62:21 67:23 74:18 102:1,5,12,18  <b>virtually</b> [1] 54:7  <b>visited</b> [2] 25:18 26:17  <b>volume</b> [7] 14:11 17:14 25:22 26:18,24 34:13 80:6</p> <hr/> <p style="text-align: center;"><b>W</b></p> <p><b>wait</b> [4] 78:4 92:9,10 98:5  <b>waiting</b> [2] 14:24 96:22  <b>wake</b> [2] 82:4,5  <b>walked</b> [2] 21:3,5  <b>wanted</b> [13] 9:15,16 11:6 15:4 16:23 23:1 26:20 27:16 32:21 34:23 76:20 80:21 94:22  <b>wanting</b> [1] 7:10  <b>wants</b> [5] 48:12 57:3 88:11,13 94:24  <b>washington</b> [3] 22:15,21 54:1  <b>waste</b> [1] 23:2  <b>watched</b> [1] 22:18  <b>water</b> [26] 5:15,18 8:4,5,6 12:6,10,11 17:11 19:19,23 25:4,13 28:16 29:22 52:14 59:5,6,10 60:1,2,8,22,24 65:2 100:9  <b>way</b> [31] 12:17 15:24 18:17 19:1 20:9 33:15 35:11,21 40:15 41:20 44:2 49:1 54:14 61:17 62:6 64:18 65:4,17 68:5 70:1,5 71:13 80:1 85:5,23 86:16 89:11 90:4 93:17 95:6 100:22  <b>ways</b> [3] 42:14 48:13 89:10  <b>we're</b> [1] 97:6  <b>weak</b> [1] 92:3  <b>weaknesses</b> [2] 58:4,12  <b>wealth</b> [1] 36:23</p>	<p><b>web</b> [1] 48:5  <b>website</b> [7] 47:10,11,21 48:4 68:4,7,10  <b>weeks</b> [2] 21:5 41:1  <b>weight</b> [1] 46:14  <b>welcome</b> [1] 77:19  <b>wells</b> [3] 8:6 12:7,10  <b>weren't</b> [1] 32:5  <b>west</b> [30] 5:3,15,20 6:15 9:5,9 32:17 34:5 38:17,22 39:23 40:10,14 41:10 43:2,3,4,6 47:8,10,21 51:10,19 62:21 67:23 74:17 102:1,4,12,18  <b>whatever</b> [6] 9:18 16:12 21:21,22 34:15 100:2  <b>wheel</b> [1] 29:21  <b>whereupon</b> [3] 10:11 18:2 38:9 50:1 56:16 57:4 78:11 89:24  <b>whether</b> [29] 7:16,21 37:17 43:20 46:9,10 58:11,14 59:5,24 60:5 65:5,9,20,23 66:1,3 67:14,18 69:1,5 76:6 80:9 84:24 86:12 87:1 91:16,22,22  <b>who's</b> [3] 44:4 47:18 58:15  <b>whoever</b> [2] 57:3 73:2  <b>whole</b> [7] 23:6 42:10 63:16 65:4 95:20 98:20 99:5  <b>whom</b> [3] 5:19 55:10 75:10  <b>wide</b> [1] 43:9  <b>will</b> [62] 7:12,17,20 19:2 22:18 35:2 37:11 41:23 42:1 49:23 53:2,10 55:12,22 56:13 63:5 65:17 66:1,16 67:13 68:12 69:14,15,22,24 70:4,10,11 72:24 73:1,7,19 75:5,23 76:24 77:10,10,14 83:4,6 84:12 85:15,19,22 86:6 87:16 88:9,11,14,20 89:6,6,7 91:10 96:22 97:8,9,17,24 98:6 100:23 101:7  <b>willful</b> [1] 82:9  <b>willing</b> [3] 14:9 20:20 86:2  <b>wind</b> [1] 59:8  <b>winter</b> [2] 70:19 79:4  <b>wish</b> [1] 80:4  <b>wishing</b> [1] 30:10  <b>within</b> [5] 8:6 40:24 67:20 100:13 102:11  <b>without</b> [6] 13:19 26:10 43:2 51:15 95:16 99:12  <b>witness</b> [9] 17:24 37:18 49:23 65:8 66:13 69:11 77:19 99:17 102:17  <b>witnesses</b> [4] 3:2,14 9:22 10:5  <b>woman</b> [1] 80:18  <b>wood</b> [4] 5:3,5 10:15 102:2  <b>word</b> [1] 56:6  <b>words</b> [5] 7:24 14:13 29:21 53:4 102:15  <b>work</b> [26] 8:23 9:3 21:9 25:10 26:13 27:22,23 28:1 31:15 38:17,21 42:18 49:8,13 51:7 53:20 58:5 62:6 74:17 84:3,10 89:5 91:3 95:19 97:23 100:7  <b>worked</b> [5] 16:8 32:16,16 34:20 56:</p>
--	--	--	--

2  
workers [9] 62:18 66:11,21  
working [4] 37:20 53:22 91:5 94:  
10  
works [4] 54:1 64:22 74:13 85:23  
world [7] 35:7 36:20 42:20 62:7 63:  
15 73:23 82:11  
world's [1] 93:23  
wouldn't [1] 23:1  
wristwatch [1] 36:19  
write [1] 27:11  
written [4] 9:14 51:18 52:1 54:12  
wrote [1] 24:23  
wvu [2] 39:13 64:4

---

Y

year [13] 8:23 9:1 12:12 17:6,7 22:  
5 25:12 31:21 56:12 66:22 67:24  
80:23 98:5  
year's [1] 18:13  
years [16] 5:21 6:2,9,24 25:5 37:1,  
7 60:16 61:20 64:12,13 70:6,20  
71:7 81:21 93:8  
york [1] 79:14  
you're [2] 72:6 86:11  
young [1] 15:20  
yourself [3] 38:13 44:13 78:1

---

Z

zero [1] 45:18  
zip [1] 67:21